

IN THE DISTRICT COURT OF THE UNITED STATES
DISTRICT OF SOUTH CAROLINA
CHARLESTON DIVISION

IN RE: LIPITOR

2:14-MN-2502

TRANSCRIPT OF MOTION HEARINGS
FRIDAY, SEPTEMBER 25, 2015
BEFORE THE HONORABLE RICHARD M. GERGEL,
UNITED STATES DISTRICT JUDGE

APPEARED FOR PLAINTIFFS:

Christian Marcum, Esquire
Mark Tanenbaum, Esquire
Andrea Bierstein, Esquire
Lisa Ann Gorshe, Esquire
David Miceli, Esquire
Clint Fisher, Esquire
Frank Woodson, Esquire
Beth Burke, Esquire
Aaron Dias, Esquire
Josh Mankoff, Esquire
David Suggs, Esquire
Mitchell Breit, Esquire
Eric Johnson, Esquire
Chad Ihrig, Esquire
Lisa Ann Gorshe, Esquire

1 APPEARED FOR DEFENDANTS:

2 Mark Cheffo, Esquire
3 Michael Cole, Esquire
4 Sheila Birnbaum, Esquire
5 Ted Mayer, Esquire
6 David Dukes, Esquire
7 Loren Brown, Esquire

8 * * *

9
10
11
12
13
14
15
16
17
18
19
20
21
22
23 Court Reporter: Amy C. Diaz, RPR, CRR
24 P.O. Box 835
Charleston, SC 29402

25 Proceedings recorded by mechanical shorthand,
Transcript produced by computer-aided transcription.

1 THE COURT: Everyone looks a little more rested than
2 they did at 5:00 yesterday afternoon, I'm going to tell you
3 that.

4 Folks, let me raise a couple of issues before we
5 proceed to our additional motions. I just sounded my
6 criminal roster for the November/December term. And usually
7 in that roster one defendant will stand up and say, I want a
8 trial. And the typical trial is often just a couple of
9 days. Today four different defendants indicated they wanted
10 trials: One trial was for two weeks; two of them were for a
11 week and one was four days. The Speedy Trial Act says
12 criminal cases trump civil cases. I, you know, usually am
13 pretty tough on continuances. Are you sure you want to go to
14 trial now? Yup. Yup, we are ready. We want to go to trial.
15 And that gives us in this matter, which is set to start on
16 November 3rd, two options: Option one is that we try -- and
17 some judges have done this -- half-day trials. Literally I
18 start one trial in the morning to go to lunchtime, I do the
19 other one and have two juries going in two different
20 courtrooms. It takes twice as long to try the cases. The
21 other option is to move the *Daniels* case to January.

22 I would like to hear from y'all about what you would
23 prefer that I do.

24 MR. TANENBAUM: Oh, gee, not a very important
25 decision, right?

1 Can we huddle?

2 THE COURT: Absolutely. Huddle. Mr. Tanenbaum,
3 remember the story of Teddy Roosevelt?

4 MR. TANENBAUM: Yes, sir. I told my wife that
5 last night.

6 Your Honor, you recall that tire case that I've got
7 with Judge Duffy? I know that we were going to start the
8 second case in January and the tire case was going to be
9 pushed back, as I recall. You had spoken to Judge Duffy --

10 THE COURT: I will have -- I will talk to him again
11 if I need to.

12 Mr. Cheffo, what's your thought?

13 MR. CHEFFO: I think, you know, um, I think January
14 is the most sensible. You know, it's only a few months. And
15 frankly, with a case like this, and you never know the timing
16 and the issues, I think we all probably would benefit from
17 having a jury, and Your Honor and the lawyers being kind of
18 focused in continuing the trial because it's, you know --

19 THE COURT: I worry about spreading the case. Of
20 course the trial takes twice as long, right?

21 MR. CHEFFO: It could be six weeks then.

22 THE COURT: It could be much longer. So I'm
23 concerned about it. And, you know, I know as a practical
24 matter one or more of those cases may go away, but I won't
25 know in time to get it to deal with this. And --

1 MR. TANENBAUM: When in January, Your Honor?

2 THE COURT: Oh, I don't know if we know. Ms.

3 Eunice, do we know the January, when we --

4 MS. RAVENEL-BRIGHT: We don't have it yet.

5 THE COURT: I think the answer is we do -- the jury
6 terms are approved after a judge's meeting, which is next
7 Friday. So we do it usually early in the month. And my
8 plan would be, just like we were going to do here, whatever
9 day it is, we would -- we would -- would you remember to ask
10 Lena about when -- but we would literally, you know, draw the
11 jury on the day of jury selection. I'll be busy that day,
12 I'm going to be drawing -- I'm thinking about November.
13 We'll draw the jury and then we would begin the next day. I
14 would -- that would be my plan.

15 MR. TANENBAUM: The 4th of January is a Monday.

16 THE COURT: We don't draw juries on Monday. The
17 question would be we do it on the 5th or the 6th, and I don't
18 know that -- or the next week.

19 MR. TANENBAUM: If not the following week.

20 THE COURT: You know, I would be speculating. They
21 sometimes are on that -- Ms. Lena, have we even submitted a
22 proposal yet, do we know?

23 I'm going to send one of my law clerks to ask my
24 judicial assistant if we --

25 MS. RAVENEL-BRIGHT: I'll call right now, Judge.

1 THE COURT: She's calling her right now.

2 MR. CHEFFO: That will help us with experts, too.

3 THE COURT: Absolutely. Listen, this is the last
4 thing I want to do. I just -- I just got a -- I've got to
5 deal with reality here. And I really -- you know, it's so
6 funny because I am just like really tough on continuances.
7 Y'all have seen me. And it was like, Are you sure you are
8 ready? You've got everything? Yes, sir, we've got
9 everything. All the discovery? Yes, sir, everything. No
10 pending -- oh, no, no. Any Superseding Indictments? No,
11 sir.

12 So Mr. Tanenbaum knows that shortly after I arrived
13 five years ago he was on his ninth continuance in a case and
14 I denied it. And he called my friends in Columbia and said,
15 What's this Gergel? He's like tough. He won't give us
16 continuances. And then the defendants paid him a lot of
17 money and he winds up thanking me.

18 MR. TANENBAUM: Like y'all's stories, there are two
19 sides. There is only one today.

20 THE COURT: It was the ninth continuance.

21 MR. TANENBAUM: It was.

22 THE COURT: That part is true.

23 Ms. Eunice?

24 MS. RAVENEL-BRIGHT: She's going to e-mail me,
25 Judge. She's looking for it.

1 THE COURT: Okay. Second issue, I would like
2 additional briefing, very brief, you know, not elaborate, but
3 on the issue of dosage. And I'm going to direct that the
4 parties provide me briefs by September 29th, simultaneously,
5 on issues of whether dosing affects the issue of causation
6 and at what level. And then I'll have -- I will have the
7 parties reply to the other three days later on October 2nd.

8 Issue of dosage. I asked a question yesterday
9 about dose equivalence. What is so many milligrams of
10 Crestor compared -- because I'm -- you know, intuitively I
11 know that patients move among these various statins, and
12 doctors routinely have to -- have to set dosage levels. And
13 I just went on that most reliable of all scientific sites, on
14 the Internet, and put Crestor/Lipitor dose equivalents, and I
15 obtained numerous tables which were all the same.

16 So I will ask you to address this issue in your
17 dosage brief to confirm this, but what it indicated on the
18 chart was that 80 milligrams of Lipitor is equal to
19 20 milligrams of Crestor. That's what the equivalency chart
20 shows that I looked at. Is that correct? Is that disputed?

21 I won't be able to tell you on the date. For some
22 reason it's January 20th, and I don't want to wait until
23 January 20th. So I'm going to check and see if I can't
24 adjust that. And I'm not quite sure how they set that
25 schedule. It's not something I usually pay much attention

1 to, but we will address it and try to do it earlier. Very
2 soon I'll let y'all know because y'all need to make proper
3 preparations.

4 So on the issue is is that disputed, that
5 80 milligrams is roughly comparable to 20 milligrams of
6 Crestor, okay? I want y'all to address that.

7 And finally, I think, Mr. Cheffo, you had made some
8 reference to providing the Court the -- those slides you had.
9 And we would like a copy of those before -- you know, because
10 we are having trouble keeping up with all your arguments, and
11 I would like to have the source for those.

12 MR. CHEFFO: We'll provide them to the plaintiffs.
13 Some of them we didn't know what we were going to use. We'll
14 give them to you hard copy and electronic, as well.

15 THE COURT: That would be great.

16 Okay. So where we are at now having those
17 addressed -- I've dropped the biggest bombshell which I'm not
18 too happy about -- we are now going to turn to the issue of
19 efficacy.

20 And I would like first to address the testimony of
21 Dr. Martin Wells.

22 MS. BIRNBAUM: Good morning, Your Honor. May it
23 please the Court? My name is Sheila Birnbaum and I'm here
24 representing Pfizer. And I will go right to Dr. Wells,
25 although I did have an opening statement.

1 THE COURT: You are welcome to do the opening
2 statement. I wasn't trying to cut you off.

3 MS. BIRNBAUM: Then I'll go to Dr. Wells second,
4 but I think it might help if we just lay it out generally.
5 Because with these experts it's not as complicated as I think
6 it was yesterday.

7 THE COURT: Yes.

8 MS. BIRNBAUM: And I would suggest we could handle
9 all of the experts in one fell swoop.

10 THE COURT: Well, I tell you what, you are a very
11 smart lady and I don't doubt you can do that. I have
12 trouble, because I've got to do it witness by witness, and
13 even where it's a little bit duplicative, it helps me to get
14 down on the weeds in each individual one. So I would have
15 you to do it that way. But I would be glad for an opening
16 statement. And anyway you want to do it, thematically or
17 anything, is fine with me.

18 MS. BIRNBAUM: That would be fine. And I'll start
19 with Dr. Wells after the opening.

20 Let's start with the overview here of what we think
21 the plaintiffs are alleging and our response to it. And the
22 plaintiffs' experts, I think -- and I say that "I think," and
23 we'll get to that issue -- they opine that there is no
24 evidence that women should take Lipitor for primary
25 prevention. And we say, Your Honor, that --

1 THE COURT: Well, do they argue that the defendant
2 simply hasn't proven it or do they argue that it's not
3 effective?

4 MS. BIRNBAUM: Well, I think they argue both. And
5 that's what I would like to take you through perhaps.

6 THE COURT: The brief itself says -- I mean, the way
7 I read it -- and, you know, it's hard when you've got lots of
8 material -- but it looks like to me that the defendant's
9 briefing says they are only claiming the defendant didn't
10 prove it. But their experts go further and claim that it's
11 not effective.

12 MS. BIRNBAUM: You are absolutely right.

13 And if we go to slide 4. I think we have to see
14 exactly what they are saying and what their experts are
15 saying and what they say in their opposition brief because
16 it's a changing target. It's a moving target here. And I
17 think it doesn't make a difference in the end, as I think we
18 will explain, but in their --

19 THE COURT: You say it does or it does not?

20 MS. BIRNBAUM: Does not.

21 THE COURT: Why is that?

22 MS. BIRNBAUM: At the end of the day it's two sides
23 of the same coin. They can't shift the burden of proof to
24 us to show that it is effective. So they can't just say,
25 Well, there is --

1 THE COURT: To say that you haven't proven it's
2 effective doesn't prove anything.

3 MS. BIRNBAUM: Right.

4 THE COURT: I mean, you can -- you know, I mean,
5 that just leaves you at -- you know, they say -- they can
6 cross-examine, they can --

7 MS. BIRNBAUM: They can cross-examine, Your Honor.

8 THE COURT: -- they can do all that. But in the
9 end, if you have evidence that it's effective and the jury
10 isn't persuaded that that's not scientifically valid or, you
11 know, meritorious in some way, then you win. And in motions
12 I've got -- to the extent you survive *Daubert* -- just saying
13 it's not effective doesn't get them anywhere.

14 MS. BIRNBAUM: I would agree with you
15 wholeheartedly, Your Honor. And that's why, when we look
16 back at their Complaint, they do allege that the defendants
17 had a duty to disclose that Lipitor was not effective in
18 women. So they start off with that premise. They say we
19 haven't demonstrated that it -- it is effective. And --

20 THE COURT: Usually a deception is thought that you
21 say something that isn't true, right?

22 MS. BIRNBAUM: Right.

23 THE COURT: You misrepresent something. Not that
24 it's debatable, right? That's not normally deception.

25 MS. BIRNBAUM: I would say, Your Honor, it's not

1 even debatable in this case any longer that it is ineffective
2 in women. And that the generally accepted science, and that
3 all of the medical groups that are involved in these issues
4 and the FDA and regulatory bodies all come to the same
5 conclusion. The only people that seem to be coming to a
6 different conclusion are plaintiffs' experts. And their
7 conclusion is not supported by the science. It's not
8 supported by certainly the generally-accepted science.

9 And it is wrong for all kinds of reasons. Because
10 they don't look at the totality of the evidence and they also
11 don't have a biological explanation for how this could work
12 in men for primary prevention and it doesn't work in women.

13 So for all of those reasons, it just doesn't make
14 any scientific sense.

15 THE COURT: I want you to start -- if you have --
16 this is not an esoteric issue of medicine, right? I mean,
17 this has been a subject of tremendous medical research; and
18 understandably, it's the most prescribed drug in the world.
19 Statins are widely used. Tens of thousands of practitioners
20 prescribe it to millions of patients. And there has been a
21 significant body of medical research on these issues. I
22 mean, no question about that. And all the peer-reviewed
23 literature goes one direction, and the -- as to
24 effectiveness, which I mentioned briefly yesterday. It's a
25 little different than this glucose increase. There are --

1 there is sort of research all over the place, conclusions all
2 over the place on that issue in which everybody has something
3 they can point to to support their argument. But in this
4 one, of the peer-reviewed literature, there really is
5 nothing.

6 And of course, the case law tells us that when you
7 are offering an opinion in litigation that is essentially --
8 that every study goes the other way, obviously we approach it
9 with a bit of skepticism. We are looking at methodologies
10 and so forth. But we scrutinize it because how did
11 everybody get it wrong and only the people who are being paid
12 by the parties seeking to advocate it take the opposite
13 position? It obviously is something that demands a level of
14 court skepticism and scrutiny.

15 And, you know, we don't have any -- you know, when
16 we look at it, there is a lot of attacking of the studies,
17 they weren't done right. That's a sort of theme of all of
18 these, is that when the conclusion comes out a certain way,
19 it's not done right. But what is a problem -- obviously
20 we'll get into it with Dr. Waters -- is he doesn't seem to
21 consider some of the most important current data on this,
22 which is -- which is problematic. I mean, it is.

23 MS. BIRNBAUM: Well, Your Honor, you've sort of
24 done my opening statement, and so maybe I should just move
25 on. You've done it as -- better than I could have done it,

1 I think.

2 But you are absolutely right on several -- on all of
3 these points. One, there is no evidence on the other side.
4 It's only the plaintiffs' experts trying to --

5 THE COURT: They self-refer. They all refer to each
6 other.

7 MS. BIRNBAUM: That's exactly right.

8 THE COURT: It doesn't mean that -- you know, it is
9 certainly plausible that the entire body of medicine got it
10 wrong and these guys got it right. I mean, that's possible,
11 maybe. You know, you wouldn't think the odds are great, but
12 it's possible. So they have their -- they have their
13 opportunity to show their methodology, to show their science,
14 to show what they have and show their data. But when you do
15 that, you realize, hey, you know, they are not showing this
16 study and that study. They didn't consider the underlying
17 data from the American Heart Association. What you are
18 realizing is that they are just basically cherry picking data
19 and really, unlike the issue of the glucose effects of
20 Lipitor, they have almost nothing to point to. I mean --

21 MS. BIRNBAUM: They don't have one peer-reviewed
22 published study.

23 THE COURT: There is no equivalent of SPARCL or
24 JUPITER or anything like that. It's just not there.

25 And so they are left just to bad mouth the other

1 studies, and, you know, you didn't do this right and that
2 right. And, you know, again, it's possible, I -- I'm
3 prepared to scrutinize it. It's just notable that some of
4 the most compelling evidence seems not to even have been
5 evaluated.

6 MS. BIRNBAUM: The meta-analysis which they are
7 attacking have been peer reviewed. They are independent.
8 There is no claim that they are not independent. They are
9 brought by world class scientists in this field looking at
10 these issues.

11 And when we get to the CTT 2015 study -- I think I
12 would like to take you through that study because I think
13 it's very important of what it tells us because it puts to
14 rest any kind of debate there might have been. And what you
15 have --

16 THE COURT: I mean, that's the study where it says
17 21 percent reduction and all that? I mean, it's just -- you
18 know, it's a -- I mean, they get it wrong or something,
19 great, let me hear about it. But, you know, when Dr. Wells
20 says he didn't even consider it, that's a problem.

21 MS. BIRNBAUM: Well, it's like all of these
22 experts. They seem to be -- back in 2004 they attack the
23 ASCOT study as not being gender -- applying to both genders,
24 even though the FDA didn't conclude that.

25 THE COURT: But they -- you start with something to

1 talk about, okay? I mean, they -- they -- I mean, you've got
2 the statement that it's, you know, that there wasn't enough
3 evidence to really reach a conclusion. But for other
4 reasons, the FDA concluded that there were -- there was
5 sufficient reason to provide it to men and women. If we
6 stop right then, you might have an argument you haven't
7 proven it's effective.

8 But there is a whole body of studies that then
9 follow completing -- you know, culminating in the CTT study
10 that you've got to say, okay, unless there is something
11 really wrong with this stuff and you don't have any other
12 studies that -- peer-reviewed studies that tell us anything
13 different, you've got to say that's a tough argument to make.

14 MS. BIRNBAUM: And if you bear with me. If we go
15 to slide 22. I would like to just pinpoint what this CTT
16 study did.

17 If you look at what it was meant to do, it was
18 undertaken to answer this exact question, where the statin
19 therapy is effective for both men and women. That would --
20 and it's the largest meta-analysis done to date. It
21 includes 27 randomized trials, 174,000 patients, 46,000
22 women, and what do they conclude? This is what is so
23 important. In men and women at an equivalent risk of
24 cardiovascular disease, statin therapy is of similar
25 effectiveness for the prevention of major vascular events.

1 And their conclusion is even more interesting because it puts
2 to rest any question that there could have been.

3 Using individual participant data, they went back to
4 the individuals in the present analysis of the CTT
5 collaboration database -- this is one of the largest
6 databases in the world for these issues -- we have been able
7 to demonstrate conclusively, conclusively that among women
8 and men who had similar risks of major vascular events, the
9 proportional and absolute effects of statin therapy on major
10 vascular events and mortality were similar.

11 And then they get to this. This is true not only
12 among high risk populations with established CVD, but also in
13 statin therapy that was used for the primary prevention of
14 major vascular events in low risk populations. And it isn't
15 only the CTT.

16 And by the way, Dr. Abramson said that the CTT's
17 meta-analysis is the best that we can get. Nobody questions
18 it, except Dr. Wells who says, Well, they should have left
19 this out. They should have left that out. They should have
20 left something else out.

21 THE COURT: Didn't he make the statement that he
22 cannot really dispute his conclusions?

23 MS. BIRNBAUM: That's absolutely right, Your Honor.

24 THE COURT: If you can't dispute the conclusions,
25 it's over, right?

1 MS. BIRNBAUM: I totally agree with that, Your
2 Honor.

3 And just one other -- the Cochrane 2013 -- this
4 isn't the only one, this has been going on for some time.
5 Another objective to assess the effects, both harms and
6 benefits of statins in people with no history of CVD, that's
7 primary prevention. And what do they conclude? Men and
8 women, old and young and people with and without CVD all
9 appear to benefit from statins.

10 And I would just like to do one more with Your Honor
11 before we move on because -- and I like the conclusion that
12 the Kostis office came to in 2012. So we have been working
13 backwards. But this has been -- this has been decided a long
14 time ago, if there was any dispute at all. And they say:
15 "Meta-analysis by level of risk indicated a statistically
16 significant benefit of statin therapy at all levels of risk
17 in both women and men for the primary event."

18 And Your Honor talked about what was good for the
19 goose and good for the gander. And they conclude, it seems
20 with respect to statin therapy, what is good for the gander
21 is good for the goose.

22 And I think the overall -- we have others, but we
23 are not going to go into them now -- but I would like to go
24 to slide 28 because this is also something they have no
25 response to. These are the leading --

1 THE COURT: I mean, are these folks just sort of
2 shills for Pfizer?

3 MS. BIRNBAUM: I doubt it.

4 THE COURT: Most of the cardiologists I know are not
5 fans of pharmaceutical companies.

6 MS. BIRNBAUM: They are not. And this is all based
7 on evidence-based information.

8 They go and look at the underlying studies, at the
9 meta-analysis. They want -- this is the information they
10 are giving to cardiologists, people who treat diabetes,
11 internists, everybody in the country, doctors. And what do
12 they say? They say statins are recommended because of
13 success in all patients with diabetes aged 40, and if
14 clinically indicated, it's the first-line drug therapy in
15 both primary and secondary prevention. What's the answer to
16 that? They don't have an answer. Dr. Rau (ph) says, I
17 can't -- I can't know whether they did the right thing. I
18 don't have the underlying information --

19 THE COURT: But it's cited on their -- their
20 statements refer, as I understand it, to the data from which
21 they base those recommendations.

22 MS. BIRNBAUM: That's exactly right.

23 THE COURT: It's available.

24 MS. BIRNBAUM: It's all available, but he didn't
25 bother looking at it.

1 THE COURT: They talked about some black box on the
2 panel. There are no black boxes on this.

3 MS. BIRNBAUM: There are no black boxes at all.
4 And they are all available in the public domain.

5 When you look at the clinicians who didn't give
6 these opinions, that there are their experts, Dr. Singh, he
7 says women benefit clinically from Lipitor, lipid-lowering
8 therapy. He prescribes it for both men and women. This is
9 the real world. This is what clinicians do. This is what
10 thousands of doctors around the country are doing. They are
11 prescribing it for women for primary prevention.

12 And, you know, Your Honor, in the real world there
13 are real consequences of this. Women die of heart disease.
14 They are undertreated. They are undertreated for
15 prevention. And we think, you know, all of us somehow, that
16 breast cancer is the leading cause of death; it's not. It's
17 heart disease for women. And one out of four women are
18 going to die of heart disease. And they don't get treated
19 the same as men. And they don't -- and they are --

20 THE COURT: You think they should have more statins;
21 not less?

22 MS. BIRNBAUM: I take it. I think everyone should
23 take it. I know it's keeping me alive.

24 THE COURT: I don't know from the *Daubert* analysis
25 it is a particularly important consideration, but I find it

1 at least of interest that the two plaintiffs who we've
2 designated for bellwether trials continue to take the
3 medicine, notwithstanding their role as plaintiffs in this
4 lawsuit. And there may be independent reasons and all that,
5 it's just striking to me that if it was such a toxic
6 medication you wouldn't be taking it.

7 MS. BIRNBAUM: There is no question, Your Honor.

8 For diabetics it's a first-line treatment. All
9 diabetics are probably taking some sort of statin. It is a
10 first-line treatment for diabetics. So it's doing something
11 to prevent heart attacks, stroke --

12 THE COURT: I was talking about high risk, the high
13 risk group.

14 MS. BIRNBAUM: Let me make one other point and then
15 I'm going to get to Dr. Wells.

16 THE COURT: I'm going to let Ms. Bierstein respond
17 to your opening statement before you do that, so that we
18 don't sort of --

19 MS. BIRNBAUM: That would be fine, Your Honor.

20 THE COURT: Okay.

21 MS. BIRNBAUM: There are several things here that
22 are undisputed, LDL, low density Lipitor, the bad cholesterol
23 is a risk factor for cardiovascular disease in men and women.
24 Nobody disagrees with that, except maybe Dr. Roberts, which
25 we'll get to. Lipitor reduces LDL in both men and women.

1 Everybody agrees with that, all of their experts. And they
2 all agree that Lipitor reduces the risk of second heart
3 attack and stroke in men and women.

4 So next slide. One would ask the question: Why
5 would Lipitor reduce LDL in men and women but only reduce
6 cardiovascular disease in men and not women? Why would
7 Lipitor reduce the risk of a second heart attack in women but
8 not in a first heart attack? And every single one of
9 plaintiffs' experts, when they are asked this question, have
10 no answer. They cannot explain, any of them, how this can
11 be biologically plausible.

12 And the next slide. And without a reliable
13 biological foundation, plaintiffs' experts' opinion amount to
14 exactly what we have here: Speculation and potentialities
15 that are built on an unsupported hypothesis and are
16 fundamentally flawed.

17 Your Honor, I think when we look at the entire
18 generally accepted, the totality of the evidence, the recent
19 meta-analysis, what all of the health authorities are doing,
20 is unacceptable, I think, to even argue that this has no
21 benefit for women in primary prevention.

22 Thank you.

23 THE COURT: Thank you, ma'am.

24 Ms. Bierstein?

25 MS. BIERSTEIN: Your Honor, I'm just going to need

1 to take just a minute to --

2 THE COURT: Take your time.

3 MS. BIERSTEIN: -- to hook up the laptop here
4 because I've got some slides to show the Court.

5 THE COURT: I'm not trying to disrupt you. I
6 thought you might want an opportunity to answer that.

7 MS. BIERSTEIN: I appreciate that. I was going to
8 ask for that because I do have an overview and I would like
9 to be able to show it.

10 Your Honor, I'm going to talk about the lack of
11 evidence of efficacy in women and --

12 THE COURT: Let me ask you first: Is your position
13 that it -- that the defendant hasn't proven efficacy or
14 Lipitor is not effective in women for primary purposes?

15 MS. BIERSTEIN: I wouldn't phrase it that way.
16 Our position is more like the former. But I'm going to
17 explain to you why it's not exactly like the former.

18 Our position is there is no statistically
19 significant evidence that Lipitor is effective for primary
20 prevention in women. We are talking about the lack of
21 evidence. We are not asserting that there is affirmative
22 evidence that Lipitor is not effective in women; we are
23 asserting that there is no evidence of efficacy.

24 Now, why does that matter in terms of the burden
25 shifting that Ms. Birnbaum referred to? I'm going to get to

1 that.

2 But what I was going to tell you about my overview,
3 I've got four points to make in the overview. So I wanted
4 to let you know what I was going to make and the order I'm
5 going to do it.

6 THE COURT: Well, I don't want to interrupt you, but
7 let me sort of get this. So for those experts who want to
8 testify that it's not -- Lipitor is not effective as a
9 primary prevention for women, that's not part of your claim?

10 MS. BIERSTEIN: None of our experts want to testify
11 to that, Your Honor.

12 THE COURT: I frankly read their testimony and --

13 MS. BIERSTEIN: I understand.

14 THE COURT: -- and they say exactly that.

15 MS. BIERSTEIN: I understand.

16 THE COURT: Particularly Dr. Roberts.

17 MS. BIERSTEIN: I understand what's in the
18 deposition testimony, Your Honor, what's in the reports.
19 It's the opinions we are offering at trial. What's in the
20 deposition testimony are the personal opinions of the experts
21 that were elicited by Pfizer at their depositions, which they
22 have personal opinions on a lot of things that they are not
23 offering to a reasonable degree of medical certainty.

24 THE COURT: You wouldn't mind me -- to the extent I
25 allow the not proven testimony -- you wouldn't mind me

1 granting a motion in limine about anything else?

2 MS. BIERSTEIN: Um, well, I would have to see the
3 motion in limine. But in terms of the *Daubert* addressed to
4 these expert reports --

5 THE COURT: Not effective, strike it, won't be
6 allowed to testify to it. You won't have a problem with
7 that?

8 MS. BIERSTEIN: I haven't seen the motion.

9 THE COURT: Basically, I think that's a sufficient
10 question. Is that yes or no?

11 MS. BIERSTEIN: I have no expert report that says
12 it is not effective in women.

13 THE COURT: Answer my question. If the defendants
14 made a motion in limine --

15 MS. BIERSTEIN: Yes, Your Honor, I would agree.

16 THE COURT: Thank you. Okay.

17 MS. BIERSTEIN: I would agree with that.

18 I'm going to show you what our experts actually do
19 say. So -- but here is the order I want to do this in.
20 First I want to show you the science, because I think the
21 science is at the heart of what Ms. Birnbaum was talking
22 about. And I think what's been shown to you does not give
23 you an accurate picture on the state of the science of this
24 issue.

25 After I show the science, I want to show you what

1 our experts actually say in their reports, the opinions that
2 they are prepared to offer and how they align with the
3 science.

4 Then I want to show you what we are not saying, and
5 then I want to show you why there is no issue about burden
6 shifting, why what we are saying is both relevant at this
7 trial and sufficient for what we have to prove to make out
8 our case. So that's -- that's the order I want to take this
9 in.

10 Now, I want to start -- maybe before I get to the
11 first slide because I don't have a slide on this -- with two
12 of the studies Ms. Birnbaum talked about, the Kostis 2012 and
13 the CTT. And what I want to note about those, Your Honor,
14 is those are studies of all statins aggregated.

15 In the CTT meta-analysis, there are only two studies
16 that actually involve Lipitor. Those two studies are ASCOT
17 and CARDS. And that's what I'm going to be talking about is
18 ASCOT and CARDS because those are the Lipitor-specific
19 studies. The Kostis meta-analysis only includes one study
20 that involved Lipitor and that was ASCOT. Because so far as
21 I am aware, there are only two Lipitor-specific studies on
22 this question, and out of those two are ASCOT and CARDS.

23 So I want to look at what is the data on ASCOT and
24 CARDS? And I'm not looking at what our experts have said
25 about it. We'll get to that later. What I'm going to show

1 you in these slides is what Pfizer said about ASCOT and
2 CARDS, what the FDA said about ASCOT and CARDS and what the
3 published literature has said about ASCOT and CARDS.
4 Remembering that anything different in CTT and in Kostis has
5 to do with the fact that they are looking at all the statins
6 and they are telling you statin therapy is effective when you
7 look at 27 studies of different statins, but the only studies
8 that were Lipitor specific there are ASCOT and CARDS.

9 And by the way, I should mention in the Kostis, if
10 you look on the Kostis article as published on the Web, even
11 the Kostis article says no statistical significance for an
12 effect in women. But let's go to ASCOT and CARDS because I
13 think that's really what's at issue here, okay?

14 So the Sever paper, the 2003 ASCOT published paper,
15 this is a Pfizer-funded study. This was the analysis of the
16 ASCOT data. What did Sever say? No benefit was apparent
17 among women. Okay. There is some other analysis about why
18 that matters or doesn't matter or what we can extrapolate.
19 What Sever said was no benefit was apparent among women,
20 okay?

21 Now, this is a little tricky because it's some
22 charts here that may be a little hard to read. This is
23 Pfizer's analysis that they submitted to the FDA.

24 Now, if you look at the chart at the top, you see
25 there is a solid line at the top and a dotted line at the

1 bottom. If I knew how to make this point, I would do it.
2 I'm sorry.

3 MR. MARCUM: I think if you click again it might
4 actually pull out.

5 MS. BIERSTEIN: Oh, yeah. But I don't want that.
6 I want the chart.

7 THE COURT: Let me ask you: Is it your -- the
8 plaintiffs' position that I should simply not consider in any
9 of these -- in any of these *Daubert* motions any evidence
10 regarding any other statin other than Lipitor?

11 MS. BIERSTEIN: That is not our position, Your
12 Honor. But I think the question here is whether our experts
13 have enough basis to say that Lipitor is not effective for
14 primary --

15 THE COURT: You know, one of -- Ms. Bierstein, one
16 of the complaints I have is we look at one study -- and I
17 don't want to beat up Dr. Jewell any more than we already
18 have -- but he -- you know, he would view a certain set of
19 rules to evaluate a study. And then we go to another study
20 and he uses a completely different set of rules because the
21 one before wouldn't have produced the result he wanted. So
22 it seems to me that one of sort of the hallmarks of proper
23 inquiry is that we measure everything by the same standard.

24 And you are now arguing that certain -- I guess the
25 argument is is that maybe Crestor is effective but Lipitor

1 isn't? Is that basically the argument?

2 MS. BIERSTEIN: Your Honor, the argument is not
3 that Lipitor is not effective; the argument is there is no
4 evidence that it is.

5 THE COURT: Well, let me ask you this --

6 MS. BIERSTEIN: There are only two studies that are
7 studying whether it is.

8 THE COURT: Is there evidence that statins are
9 effective with women?

10 MS. BIERSTEIN: I am not -- there are published
11 papers that say that. I am not enough of an expert to tell
12 you whether those papers have correctly analyzed the
13 evidence.

14 THE COURT: That's not my question. Are there
15 peer-reviewed studies that say -- they don't differentiate --
16 that say that statins are effective in primary care for
17 women?

18 MS. BIERSTEIN: Yes, there are, Your Honor.

19 THE COURT: Okay. And those studies deal -- these
20 are -- these are presumably people who are expert in the
21 field, in this area, as they were writing these peer-reviewed
22 articles?

23 MS. BIERSTEIN: I don't know who wrote the articles
24 and I don't know who funded them. I'm not saying they are
25 not, I'm really not. I'm just telling you -- you are asking

1 me a question I don't know the answer to.

2 THE COURT: Let's assume that these are
3 peer-reviewed articles and that these authors presumably
4 placed all these statins together because they felt they
5 were -- that was an appropriate grouping. Obviously they
6 thought that, correct?

7 MS. BIERSTEIN: I assume since they published it
8 that way that's what they concluded.

9 THE COURT: And they concluded that statins were
10 effective for men and women, correct?

11 MS. BIERSTEIN: They -- some of -- there are papers
12 that conclude that, yes, Your Honor.

13 THE COURT: Are there studies that suggest that
14 certain statins are effective with women and certain statins
15 are not?

16 MS. BIERSTEIN: Your Honor, each of the statins, as
17 I understand it, was separately studied because each statin
18 had to submit specific information to the FDA to get an
19 indication.

20 So for example, to get an indication that it was
21 effective for primary prevention in women, pravastatin had to
22 have pravastatin-only studies that established that it was
23 effective for primary prevention in women.

24 And the same is true for each statin that was
25 demonstrated to the FDA with statin-specific evidence to the

1 extent that it -- that they have a specific indication.
2 Lipitor does not have any Lipitor-specific evidence about
3 primary prevention.

4 THE COURT: Yes, sir?

5 MR. MARCUM: If I could have you take a look at the
6 Web appendix to the Kostis article that was cited by Ms.
7 Birnbaum and Ms. Bierstein. And it breaks down a number of
8 studies of meta-analysis and looks at the primary outcome for
9 primary and secondary studies. And it breaks it out by
10 gender.

11 And I believe if you look at that, you will see that
12 the JUPITER study for Crestor is, I think, the only one that
13 demonstrates a statistically-significant benefit for women in
14 primary prevention on the primary outcome of the study.

15 And Dr. Shaddox, who is one of their experts,
16 testified and said in his report that a study is best judged
17 by its primary outcome and so -- we can provide it to you.
18 I'll be happy to provide it to you.

19 But maybe, to more directly answer the question, I
20 think JUPITER may be the only one that has shown a
21 statistically-significant benefit for primary prevention in
22 women on the primary study outcome.

23 MS. BIERSTEIN: Your Honor, if you let me get
24 through my presentation, I have a slide about what Pfizer
25 said about JUPITER on that point.

1 THE COURT: Let me tell you, I do appreciate your
2 argument, but I -- this is ultimately for the benefit to help
3 me decide. So I don't want to disrupt your -- disrupt your
4 presentation, but I'm trying to learn this, and I'm doing the
5 best I can. And asking you questions helps me, and not sort
6 of sitting back like a mummy and waiting for you to tell me
7 stuff.

8 MS. BIERSTEIN: I would like at the end of your
9 questions to have a chance to make my presentation, as well.

10 THE COURT: I'm not trying to do that, but I -- you
11 know, I have been on both sides of this. I made lots of
12 argument to courts, and you've got to appreciate that a
13 presentation is just your plan to convince the Judge. In
14 the end you've got to go with the flow and sometimes it
15 doesn't -- the play doesn't quite come out the way you want
16 to. So I'm trying to ask you questions, but I want to give
17 you a chance to make your argument.

18 Now, I'm just trying to -- I'm struggling with this
19 issue about is there evidence to suggest that among statins
20 it matters about effectiveness? I mean, that is our -- are
21 these drugs -- is there data suggesting that some are
22 effective and some aren't? I'm just trying to figure that
23 out.

24 MS. BIERSTEIN: Well, I think, as Mr. Marcum
25 explained, in many instances they don't get a result in

1 women. And the question is, can we extrapolate the result
2 from men?

3 As Mr. Marcum pointed out, the only study that we
4 know of that was specific in terms of finding the benefit in
5 women is JUPITER.

6 So in terms of are there studies that are comparing
7 one statin, you know, comparing one to another head to head
8 on efficacy in women? I'm not aware of any.

9 THE COURT: It does seem to be a premise in these
10 studies that lump these statin studies together -- that they
11 seem to think that there is sort of a general validity. I
12 did make a note, because y'all were making a point, that
13 Lipitor was a subpart of every one of these and that there
14 were specific studies that used Lipitor specifically.

15 But it does appear that the -- in this peer-reviewed
16 literature, that they think it's a valid exercise to look at
17 statins generically as opposed to simply looking at
18 individual products. I mean, that appears to have been a
19 sort of premise of this. And I'm asking, is there some
20 question, is there some evidence that that premise is
21 inaccurate?

22 MS. BIERSTEIN: Your Honor, there is definitely a
23 premise that it is scientifically useful to survey the
24 evidence of all the statins. What conclusion the scientists
25 draw from that aggregation or the extent to which some of the

1 articles find it also useful to break out the statins, as
2 some of them do, is a totally different question. The fact
3 that they think it's useful to look at aggregates is not the
4 same thing as saying they think the results are the same for
5 everybody; it means they think there is some research and
6 educational benefit in looking at the universe. If you
7 drill into it --

8 THE COURT: They just -- they reach a conclusion:
9 Statins are effective. You may think that is an invalid
10 conclusion to reach.

11 MS. BIERSTEIN: I don't think it's an invalid
12 conclusion, Your Honor.

13 Here is what I think: I think if I'm a doctor and
14 I'm prescribing to a woman and I see that statins are
15 effective in women, I have to choose a statin -- I've got the
16 paper that says statins are effective, but now I've got to
17 decide which statin am I going to put this patient on? And
18 when I get to that question I'm going to look at the specific
19 risks and specific benefits of the particular statin. The
20 paper is helpful to practicing doctors to tell them I should
21 be looking at statin therapy for my patient.

22 But when the the doctor gets to the particular
23 question of, Am I going to give this woman Lipitor? I think
24 that there is an issue of looking at what might it do to her
25 to harm her and how certain are we? What's the evidence that

1 it will help her?

2 And so I think the fact that people have put out
3 studies saying statins are effective and aggregating them
4 doesn't mean that those scientists are saying, and it doesn't
5 matter which one you take. I don't think we can infer that
6 from the existence of the paper.

7 THE COURT: Do the plaintiffs take the position that
8 Crestor is effective with women in primary prevention?

9 MR. MARCUM: I think that's what the data shows.
10 I mean --

11 MS. BIERSTEIN: We think the data shows that.

12 THE COURT: Are there any other statins that are
13 effective other than Crestor?

14 MR. MARCUM: Primary prevention?

15 THE COURT: Primary prevention of women.

16 MR. MARCUM: I would have to look at the Kostis
17 meta-analysis to be sure -- that's the other thing, we need
18 to pay attention to the outcomes of these studies as they
19 were designed and what they were designed to measure. Some
20 of them were designed to measure the prevention of heart
21 attacks or strokes; some of them were designed to study a
22 reduction in mortality, cardiovascular mortality.

23 And Your Honor, when we are talking about, for
24 example, the 2015 CTT meta-analysis where it finds
25 effectiveness for women is by after the fact creating a

1 composite end point, not looking at sort of the primary end
2 points of each of the studies as they were designed, but
3 creating a composite end point that includes a lot of
4 different events and doing sort of a post hoc analysis of
5 those.

6 THE COURT: And I understand, Mr. Marcum, you think
7 that's not a valid -- you are sitting here arguing that's not
8 a valid method. Obviously, the authors of the CTT think it
9 is.

10 My question is this: Do you have expert testimony
11 that says that methodology is invalid?

12 MR. MARCUM: I think actually our experts would
13 criticize that methodology.

14 THE COURT: Do they --

15 MR. MARCUM: I think John Abramson, Dr. Abramson's
16 report very directly criticizes the process by which the
17 CTT --

18 THE COURT: We'll get to whether he has the
19 expertise to make such a criticism.

20 But the question is -- I mean, I'm -- obviously,
21 these studies seem to think lumping these together, grouping
22 them together, is a scientifically valid thing to do. And
23 do you have testimony, other than you were saying Dr.
24 Abramson makes that testimony -- the family practitioner we
25 are talking about?

1 MR. MARCUM: Yes.

2 THE COURT: Other than him, do you have anybody that
3 really has expertise to say, Okay, it's not scientifically
4 valid?

5 MR. MARCUM: I don't think that the point -- and I
6 don't think even Dr. Abramson would say that conducting a
7 meta-analysis is improper. They are very -- they could be
8 very useful obviously for lots of different reasons. I
9 think his criticisms would go to the creation of a composite
10 end point that wasn't necessarily part of the original study
11 designs.

12 But I think the point that Ms. Bierstein is trying
13 to get to -- and I think it's very important -- is that when
14 a doctor is making a decision or a patient is making a
15 decision about whether or not to take a particular
16 medication, the primary outcome of the study of the drug
17 that's being recommended to that patient is of critical
18 importance.

19 And if you have study results, like I think you will
20 see here, that show, for example, that in ASCOT that Lipitor
21 does not reduce mortality in women; and in fact, it was
22 increased. And it doesn't reduce the primary outcome of that
23 study, which is nonfatal heart attacks or coronary heart
24 disease, but in fact, that was also increased, that that's
25 critically important information when making a decision about

1 taking a particular drug.

2 So while it's all well and good to look at the whole
3 body of evidence, to make a general decision --

4 THE COURT: It's so interesting you -- in the ASCOT
5 study when we were talking about the effect of diabetes, Oh,
6 that is a worthless study, not enough women. And then the
7 same group, Oh, that is the end, that is -- I mean, it's just
8 like, you know, okay, what question do we want to answer and
9 then we'll use that standard. Is ASCOT good or not good?

10 MR. MARCUM: We were happy to use ASCOT to talk
11 about diabetes, but you seem inclined to not let us do that.

12 MS. BIERSTEIN: We are not being inconsistent here
13 because we think ASCOT doesn't show -- you say we are
14 criticizing ASCOT. We think ASCOT doesn't show a benefit in
15 women. We are not raising the flag of saying we love ASCOT,
16 we are saying --

17 THE COURT: Here is my complaint --

18 MS. BIERSTEIN: Can I show you the slide, Your
19 Honor?

20 THE COURT: Just a moment. Just a moment.

21 Your problem, it seems to me, is ASCOT is run down
22 on the issue of diabetes because there are not enough women
23 in the pool. And it was recognized that was a weakness of
24 that study. And then you want -- because of this inadequate
25 number, you want to seize upon this, obviously the FDA wasn't

1 impressed that that meant much. And then there are these
2 subsequent studies, which at least the scientists, the
3 authors writing it seem to think that it can be generally
4 statins are effective for women. And you want to say, Oh, I
5 don't like those studies, they are not Lipitor exclusive.
6 It's just like you want to point to them for other purposes,
7 group studies, because they suit your interest.

8 We ought to be measuring things by a consistent
9 standard, isn't that sort of the fundamental scientific
10 methodology? So we don't have a little grab bag full of
11 tricks that we pull out when we don't get our result. We
12 predetermine the standard and then we apply it, and the
13 result is the result.

14 MS. BIERSTEIN: Your Honor, I think that's an
15 inaccurate description of science.

16 I think the problem here is multiple. I think,
17 first of all, there is not a yes or no answer in science.
18 There is a lot of judgment. And different scientists look
19 at something and they use different judgment. There are
20 also different tools and methods and they are not all
21 appropriate for every circumstance.

22 So a scientist doesn't simply say: Regardless of
23 the data, regardless of the circumstance, I'm always going to
24 use this particular paradigm. What we have is an
25 exercise -- this is why I'm not a scientist, because it's not

1 enough for me to read a study and say, I'm going to use the
2 same criteria in every one, and I'll stand in and I'll come
3 in instead of the experts. It's what they got from their
4 training is the experience and judgment. It's like the way
5 lawyers are. We read the same case and we read it
6 differently. And so --

7 THE COURT: Can you point to any --

8 MS. BIERSTEIN: And sometimes we think it matters
9 that an interesting point was made in dissent, and sometimes
10 we say, oh, that's only a dissent. And sometimes we say
11 this dicta is really significant, and sometimes we say it
12 doesn't matter, it's only dicta.

13 THE COURT: I understand that there could be
14 legitimate disputes. Obviously on the issue of diabetes,
15 it's all over the place on that issue.

16 Can you point to me anybody in the peer-reviewed
17 literature that indicates that Lipitor is not effective --
18 can you point to anybody, peer-reviewed literature, anybody
19 else who isn't being paid by the plaintiff who takes that
20 view?

21 MS. BIERSTEIN: We are not contending that Lipitor
22 is not effective.

23 THE COURT: Can you point to me anybody other than
24 somebody who is being paid by the plaintiff in the
25 peer-reviewed literature that says Lipitor is not effective

1 regarding women?

2 MR. MARCUM: Your Honor, you can look -- and we'll
3 provide all of this to you -- you can look at the NCEP, the
4 National Cholesterol Education Program guidelines. They have
5 questioned the effectiveness of not just Lipitor, but statins
6 in general in low risk or primary prevention patients over
7 time. And that's peer-reviewed. That's published.

8 I mean, Sever was peer-reviewed and published and he
9 said there was no apparent benefit in women. I realize
10 that's just the ASCOT study. But even generally this is a
11 debate that has been ongoing, okay?

12 And I realize that their position is that it's now
13 been settled by the 2015 CTT, but I think you can very
14 validly criticize whether or not it's been truly settled just
15 because the CTT has said it is.

16 THE COURT: I mean, it just seems to me, Mr. Marcum,
17 that if you had -- you know, you don't -- you know, I sort of
18 said to the defense, Listen, SPARCL means something, right?
19 SPARCL means something. You've got a valid study that
20 raises legitimate questions, seemingly legitimate questions,
21 legitimate enough that the FDA responded. Label changes were
22 made. It means something.

23 Now, what it means we can talk about. Your
24 argument is basically attacking other studies. You don't
25 have anything yourself.

1 MS. BIERSTEIN: Your Honor, our argument is there
2 are no studies. We are not saying that Lipitor is not
3 effective; we are saying there are no studies that says it
4 is. And I haven't gotten yet to why that even matters.
5 But when we say there are no studies --

6 THE COURT: I'm going to shut up. You sit down.

7 MS. BIERSTEIN: There are no studies that say it
8 is. All I can do is tell you there are no studies. In the
9 ASCOT study the women on Lipitor had more cardiovascular
10 events than the women in the placebo. And here the -- you
11 see it, that's the -- this is the males. So this is the men.

12 You see the placebo, you get the cardiovascular
13 events. And on Lipitor -- on placebo is the dotted line and
14 on Lipitor is the solid line.

15 So what you see is the men -- this is -- Pfizer
16 prepared this -- this is -- the men on Lipitor in ASCOT did
17 much better. They had many fewer over time. So we are
18 looking at over time the number of events, the aggregate
19 number of events. The men are doing better. They are
20 having fewer of those events. The problem is for the women,
21 the curve looks pretty different.

22 Now, the atorvastatin line is on top. So the women
23 are having more of the cardiovascular events. The people on
24 placebo --

25 THE COURT: What is the difference in these events

1 between placebo and actual numbers and between placebo and
2 Lipitor?

3 MS. BIERSTEIN: I think that's on the next slide.
4 I think that's --

5 THE COURT: 19 versus 17, is that --

6 MR. MARCUM: I think that's accurate.

7 MS. BIERSTEIN: Yeah.

8 So what you are seeing again on this slide -- this
9 is FDA material on Lipitor for men -- the incidents of the
10 primary end point appears lower in the atorvastatin group
11 compared to placebo almost throughout the study period. So
12 this is what we are talking about that men had fewer
13 cardiovascular events.

14 For women, however, the incidents of the primary end
15 point actually appears lower in the placebo group throughout
16 most of the study. That's what we are talking about. Women
17 actually had -- the women on the placebo were doing better.
18 FDA overall the results for females are not strong and
19 suggest that a comment in the labeling is warranted.

20 And then we've highlighted the numbers. Here you
21 see comparing males and females. What you are seeing on the
22 atorvastatin and the placebo for these particular events, the
23 nonfatal MI and fatal coronary heart disease for men you see
24 the numbers working favorably. For atorvastatin for women,
25 you see the numbers going the other way. The hazard ratio

1 for men on that first one, the nonfatal MI .59. So they did
2 better on the Lipitor. For women it flips, 1.11. You see
3 that again with cardiovascular mortality. Males and
4 females. The men did very well on the study; the women
5 unfortunately did worse on the Lipitor. The same with all
6 cause mortality. So that and what the FDA's analysis of
7 ASCOT was --

8 THE COURT: I know yesterday you argued there
9 weren't enough numbers of women in ASCOT to take the
10 conclusion about diabetes effects seriously. Is there
11 enough numbers of women in this study in ASCOT to take the
12 results regarding lack of efficacy seriously?

13 MS. BIERSTEIN: Your Honor, I don't think I made
14 that argument about the diabetes. But the point of that --

15 THE COURT: Do you consider the ASCOT finding that
16 there is no correlation with diabetes to be a valid
17 conclusion?

18 MS. BIERSTEIN: Your Honor, we were not looking at
19 the diabetes -- no, we don't consider it valid. No, we do
20 not consider it valid.

21 THE COURT: That's what I thought.

22 MS. BIERSTEIN: We do think --

23 THE COURT: I thought that's what you argued
24 yesterday.

25 MS. BIERSTEIN: We do think there are too few women

1 in this study. That might be one of the reasons it doesn't
2 show efficacy.

3 THE COURT: I just heard your argument to be that
4 there wasn't enough to make a determination, which I think is
5 what the FDA concludes, right?

6 MS. BIERSTEIN: I think there is not enough to make
7 a determination here, either.

8 THE COURT: That's my question to you.

9 MS. BIERSTEIN: Yes. There is not enough here --
10 I'm not telling you that actually Lipitor makes heart disease
11 worse. That's not my point. My point is this does not
12 provide evidence that it -- that --

13 THE COURT: I don't think they are claiming it does.

14 MS. BIERSTEIN: That's what we are going to get to,
15 Your Honor.

16 THE COURT: I understood their argument to say that
17 ASCOT supports their view, it is that it didn't have enough
18 women. It was obviously a male-focused study. And then
19 there are these later studies that I understood were
20 attempting to address this issue, and then the conclusions of
21 these studies on a meta-analysis basis concluded yes, it is a
22 valid --

23 MS. BIERSTEIN: There is only one other study that
24 studied Lipitor on this, and this is the CARDS study. When
25 Pfizer published the CARDS results, they didn't break out the

1 men and women. So we see the efficacy but we don't see a
2 separate breakout for women.

3 However, Pfizer's expert, Dr. Wei, did his own
4 breakdown of the results in the CARDS study. And what you
5 see in Dr. Wei's breakdown if you compare male/female, if you
6 look at the hazard ratios and the confidence intervals -- I'm
7 sorry, I'm getting a little -- what you are going to --

8 THE COURT: You know, on the other side they send
9 different teams up and you are doing it all by yourself.

10 MS. BIERSTEIN: I should have sent Mr. Marcum to do
11 this.

12 What you see here is that if you break it down, you
13 don't see a statistically significant benefit in women. You
14 are seeing a benefit in women here, we are not -- this is
15 unlike ASCOT where the women actually came out worse.

16 Here what you are seeing is a benefit. But the
17 difference between the men and the women is that it's
18 statistically significant in the men on I think two of the
19 three, but it's not statistically significant for the women
20 on any of those three end points.

21 So this is another study. This is Dr. Wei,
22 Pfizer's own expert, he finds the benefit -- he finds no
23 statistically significant benefit for women in the CARDS
24 study. So that's the second of the two studies.

25 So all we have that are Lipitor-specific, ASCOT and

1 CARDS. Neither one of them shows a statistically
2 significant benefit in women.

3 Now, what does Pfizer say about this? So Pfizer has
4 an internal discussion in 2005 about submissions relating to
5 ASCOT. And actually, they are talking about they want to
6 take their past experience with ASCOT to apply to their
7 future with the new study. So this is their past submission
8 experience. And what do they focus on in some of their
9 prior submissions for ASCOT? Lack of effect in women, excess
10 of mortality and cardiovascular mortality in women. This is
11 Pfizer's internal analysis. Here was our experience in our
12 past submissions. This was our problem in some of these
13 agencies with the lack of effect of women in ASCOT, the
14 excess of mortality and cardiovascular mortality in women.

15 Now, in 2009 Pfizer does an internal analysis of the
16 JUPITER trial, the one that Mr. Marcum talked about, that
17 showed primary prevention benefit -- that showed benefit in
18 treating women.

19 Pfizer does what is the standard business analysis
20 to do: Strengths, weaknesses, opportunities, threats, what
21 we call SWOT analysis.

22 In looking at the threats, Your Honor, Crestor is a
23 threat to Lipitor because it has the potential to distinguish
24 itself as the class leader in treating women patients.

25 What does Pfizer say about Lipitor in the context of

1 the threat of Crestor? Pfizer says Lipitor does not have
2 robust primary prevention or secondary prevention data in
3 women. And then what else does Pfizer say? Lipitor has not
4 shown significant risk reductions in cardiovascular mortality
5 in women.

6 So whatever they want to tell you when they are in
7 the courtroom, what they are telling themselves in the
8 boardroom is when they are analyzing the competition, is the
9 competition has data in women, the JUPITER study, and it's a
10 threat to us. It's in the threat column of SWOT because we
11 don't have robust data to show primary prevention in women.
12 And we don't -- we don't have it showing a significant risk.
13 So that's Pfizer's analysis.

14 THE COURT: That was -- and you are going to provide
15 me your slides, as well?

16 MS. BIERSTEIN: We will do that, Your Honor. We
17 will provide you the slides.

18 Now, in terms of what the experts are opining here
19 and how it aligns with what I just told you, what I've told
20 you is not that I don't have a study showing that it doesn't
21 work; I told you there is no study showing that it does. If
22 the reason was there was too few women or it wasn't well
23 done, I don't know what the reason is, but there is no study
24 that shows it.

25 What do our experts say? Not when they are asked

1 for their personal opinion at deposition, but in the report
2 that they signed that they said, These are the opinions I'm
3 going to offer to a reasonable degree of medical certainty.

4 Dr. Roberts' opinion. Lipitor has not been shown to
5 be beneficial in lowering the risk of cardiac events. Okay.
6 So she's just saying it hasn't been shown. She's not saying
7 it doesn't; she's saying nobody has come up with the
8 evidence.

9 THE COURT: I don't interpret the statement that
10 way. I think that is parsing. It is one thing to say
11 Lipitor hasn't proven it. I mean, that certainly -- you
12 know, part of Rule 403 is I've got to decide not to mislead
13 my jury. I think -- I read that to say it's not effective.

14 Now, you are -- you are saying that she's just --
15 she's simply saying Pfizer hasn't proven it.

16 MS. BIERSTEIN: She's saying there is no evidence
17 as a scientist.

18 Now here, Dr. Abramson. The ASCOT trial did not
19 provide evidence that Lipitor reduced the incidents of
20 nonfatal myocardial infarction, nor did it provide
21 evidence --

22 THE COURT: I'm not sure that Pfizer, the defendant,
23 would dispute that second statement, because that's the ASCOT
24 data.

25 MS. BIERSTEIN: If they are not going to dispute

1 it, perhaps they will withdraw their motion to this
2 particular opinion in his report. This is one of the 11
3 opinions Dr. Abramson offers. If Pfizer agrees with it,
4 maybe we'll let him get up and testify to it.

5 Our other two efficacy experts, this is Dr. Wells,
6 he gives you a little bit more about what the meta-analysis
7 finds. No evidence. He's talking about statins generally.
8 He thinks there is no statistically-significant evidence.
9 He's also in the realm of lack of evidence.

10 Dr. Fleming. The ASCOT data did not establish the
11 efficacy of Lipitor in women for primary prevention. I
12 assume we get a pass on that, too, because it's clearly what
13 the ASCOT data shows.

14 So that's what our experts are trying to say.

15 THE COURT: We are not trying a case based on 2004
16 data; we are doing it on 2015 data, right?

17 MS. BIERSTEIN: Depends on for what purpose, Your
18 Honor.

19 THE COURT: I understand.

20 MS. BIERSTEIN: For many purposes, yes. But for
21 the failure to warn, we may be in a slightly different
22 scenario, and I'm going to get to that.

23 Let's talk about what we are not saying. We are
24 not saying Lipitor doesn't lower cholesterol. There is a
25 lot of studies that tell you it does. We are not saying

1 Lipitor is not effective for primary prevention in men. We
2 know there is a lot of studies that say it is. We don't
3 have any men plaintiffs. We are not saying that it's not
4 effective for primary prevention in men. We are not saying
5 that Lipitor is not effective for secondary prevention.

6 So if you have a heart attack and your doctor is
7 putting you on Lipitor to prevent another one, we are not
8 saying here that it's not effective. And we are not saying
9 there is affirmative evidence that Lipitor is not effective
10 for primary prevention in women.

11 THE COURT: Would you say that's disputed?

12 MS. BIERSTEIN: Would I say --

13 THE COURT: Would you say the statement that Lipitor
14 is not effective for primary prevention, that's a sort of
15 disputed statement, it's unsettled?

16 MS. BIERSTEIN: Yes.

17 THE COURT: And you would say people of good will
18 might disagree with each other?

19 MS. BIERSTEIN: People might disagree, but the
20 point is -- they might disagree, but there is no actual
21 scientific --

22 THE COURT: How can you commit fraud if it's just a
23 matter of opinion and unsettled?

24 MS. BIERSTEIN: That's great, Your Honor, because
25 that's where I'm going next.

1 We are not suing for fraud. This is not a fraud
2 case. What is our burden? It's not a fraud case. It's not
3 a warranty claim. We are not contending that -- plaintiffs
4 don't contend that they bought a product and it didn't work.
5 We are not saying they lied. We are not saying they
6 committed fraud. That is not part of our case. We don't
7 have the burden to show it doesn't work because we are not
8 claiming that. That's not what our claim is.

9 THE COURT: Are you going to show me what you are
10 claiming?

11 MS. BIERSTEIN: I am. That's going to be my next
12 slide.

13 What do we claim? Plaintiffs claim that Pfizer was
14 negligent in failing to provide an adequate warning about the
15 dangers of Lipitor. This comes back to yesterday on the
16 diabetes part of the case. Plaintiffs' burden -- and this
17 is our burden -- is to show that Lipitor was not accompanied
18 by an adequate warning that would have permitted their
19 doctors to make a proper risk/benefit assessment before
20 prescribing Lipitor. So Pfizer failed to tell the doctors
21 everything the doctors needed to know that they knew of that
22 was accurate so that the doctor could make the correct
23 risk/benefit analysis. And they were negligent in not giving
24 them the information.

25 So we contend two things: We say that by failing to

1 tell doctors about the absence of evidence of efficacy in
2 women and failing to disclose the increased risk of diabetes,
3 Pfizer both exaggerated the benefit, because they left
4 doctors with the impression formed in the context of men
5 where it is effective, that there was this evidence they
6 minimize the risk. So a doctor weighing the two thinks,
7 Wow, I know Lipitor is really effective for prevention, I
8 keep seeing it everywhere in the newspapers and on TV, and
9 the doctor doesn't realize that evidence is about men.

10 When it comes to women, there is no evidence one way
11 or the other. It's an open question. And then they also
12 minimize the risk by failing to disclose the diabetes. So
13 the doctor doing the risk/benefit can't do it properly.
14 They don't know what the true benefit is because they don't
15 know that there is no evidence of a benefit. They don't
16 know the true risk.

17 So what do we say? We say if Pfizer had said a
18 benefit in women has not been shown, and if Pfizer had
19 disclosed the data for women, that curve I was trying to show
20 you in ASCOT that showed the actual ASCOT results where there
21 wasn't a benefit for women? That statement and that data
22 would have made a difference to doctors prescribing Lipitor,
23 especially if they had also been told about the risk of
24 diabetes. So we satisfy our burden to show that the
25 warnings were inadequate if we can show that Pfizer ought to

1 have made those disclosures.

2 If Pfizer ought to have said, Hey, we don't know if
3 there is a benefit in women. If Pfizer should have said
4 that in order to allow doctors to put the risks of diabetes
5 into perspective, then that's part of our claim that there
6 was a failure to warn here. And that's why our claim is so
7 narrow, Your Honor, on efficacy. We are not saying it
8 doesn't work. Our claim is that there was insufficient
9 evidence, and the doctors were all confused because everyone
10 kept talking about the evidence of efficacy in the whole
11 population. And if anyone had told the doctors, Actually we
12 don't know about women, we -- the jury is not out yet -- the
13 jury is not back yet on women.

14 A doctor making a prescribing decision, at least for
15 Lipitor -- now maybe that's different for other statins --
16 but when it comes to Lipitor, the doctor -- you know, if a
17 doctor needs to know that the jury is still out, hasn't come
18 back on Lipitor and primary prevention, the doctor says, What
19 statin am I going to give my patient? Well, the jury is
20 still out on Lipitor and we've got this diabetes problem,
21 maybe I ought to look elsewhere. And that is a failure to
22 warn claim, Your Honor. And that is the claim that we are
23 actually making.

24 THE COURT: So what you are saying is that even
25 though you are telling me that under -- that I should not

1 credit in the diabetes issue the evidence on ASCOT that shows
2 that there is not an effect on increasing glucose, shouldn't
3 regard it because there is -- there is not enough women in
4 the study, I should find that -- I should say that the cause
5 of action would survive because Pfizer failed to disclose
6 this inadequate data on its label? Is that basically what
7 you are telling me? I mean, in regard to efficacy, that they
8 should have -- in one argument I should find that they
9 committed some wrong by not disclosing it to doctors. I
10 mean, I can't -- I'm struggling with these inconsistent views
11 in the same study.

12 MS. BIERSTEIN: I'm saying ASCOT is insufficient in
13 both arms. It doesn't show -- I'm saying it's insufficient
14 either way. I'm not telling you that ASCOT is strong one
15 way and weak in another. Everybody knows Pfizer agrees that
16 ASCOT doesn't show --

17 THE COURT: But Pfizer believes, believed then and
18 believes now, that Lipitor is effective with women, correct?
19 I mean, that's their --

20 MS. BIERSTEIN: I don't know what Pfizer believes.
21 I have no idea.

22 THE COURT: They believe it, okay?

23 MR. CHEFFO: We'll stipulate to that, Your Honor.

24 THE COURT: They believe it. Now, everybody can be
25 wrong, so their belief might be wrong, but they clearly

1 believe that.

2 MS. BIERSTEIN: They believe it in the courtroom,
3 but they don't believe it in the boardroom.

4 THE COURT: The FDA apparently thought they were
5 right. For whatever reason, crazy as they might be, they
6 approved for men and women, right? After ASCOT.

7 MS. BIERSTEIN: They did, Your Honor. The label
8 says it's inconclusive as to women.

9 THE COURT: They approved it.

10 MS. BIERSTEIN: They said ASCOT is inconclusive as
11 to women on the label. That's what the FDA said you have to
12 put on the label, that ASCOT was inconclusive in women.

13 THE COURT: They approved it for use in men and
14 women, right?

15 MS. BIERSTEIN: They did.

16 THE COURT: Just a simple yes or no would be fine.

17 MS. BIERSTEIN: They did.

18 THE COURT: And so the -- and then there is a
19 legitimate point you make that the data yet hadn't been
20 gathered. And now after that data is gathered, your
21 complaint is there is something wrong with the studies. They
22 grouped together these statins, the CARDS study, which even
23 though they found no heterogenetic difference, it really is,
24 when you look down at the numbers, there is a difference. I
25 mean, there is a sort of critique.

1 So when they come back, none of the studies pass the
2 muster. And for that, it's not necessarily that --
3 necessarily that Pfizer is wrong, they just can't prove they
4 are right. That's basically your argument, right?

5 MS. BIERSTEIN: Your Honor, in the world of drugs,
6 it's not sufficient to say you just can't prove you are
7 right. Your drug has to be proven to be effective.

8 And more important, for a doctor to make a correct
9 risk/benefit analysis, the doctor has to know what the
10 benefits actually are. So as -- on the legal side of it,
11 the issue is what is an adequate warning to a doctor? What
12 does a doctor have to take into account?

13 And so I think -- and you know in terms of --

14 THE COURT: We are now talking about the warning
15 label? Based on the data available --

16 MS. BIERSTEIN: We think they should change the
17 label and we think also -- yes, we believe that the label is
18 inadequate to inform doctors, both about the risk of diabetes
19 and the lack of evidence for primary prevention in women.

20 THE COURT: At some point we are going to talk about
21 preemption in all this.

22 MS. BIERSTEIN: We are going to talk about that at
23 some point, whenever Ms. Birnbaum or Mr. Cheffo is ready,
24 because I assume they are going to go first. We are going
25 to talk about that, Your Honor.

1 But what I was going to say in terms of later
2 data -- just to reiterate and then I'm going to sit down
3 unless you have more questions on this -- is that there is no
4 later data on Lipitor. There is other studies combining
5 those two, CARDS and ASCOT.

6 THE COURT: You think that method that these other
7 people -- the other scientists have adopted of grouping
8 together is scientifically invalid?

9 MS. BIERSTEIN: I do not, Your Honor. I don't
10 think it necessarily means what Your Honor thinks it means.
11 I don't think it's invalid. I don't think it's invalid to
12 do a meta-analysis of lots of statins. I think that's a
13 standard technique in the scientific community. But I need
14 an expert to tell me, but what conclusion can I draw about
15 Lipitor from this?

16 THE COURT: I know what the authors say, they say
17 that they conclude conclusively it establishes. You disagree
18 with that. I take it you disagree --

19 MS. BIERSTEIN: I don't disagree -- what I disagree
20 with, Your Honor, if we had the author of that paper here, if
21 you asked the author, What do you think about efficacy in
22 Lipitor specifically? I don't know what answer you would
23 get.

24 THE COURT: That would be the only witness you
25 haven't deposed in this entire case.

1 MS. BIERSTEIN: I don't think the answer would be,
2 We think it's true for Lipitor, as well.

3 THE COURT: Maybe you ought to depose that guy if
4 you knew this was important.

5 MR. MARCUM: Your Honor, we did actually depose
6 Frank Sacks, who they are not bringing as a witness, who is
7 part of the CTT. He is one of the trialists. And he agreed
8 there was no evidence specific to Lipitor, but said you can't
9 just look at that, you've got to look at the whole --

10 THE COURT: Look at the grouping.

11 MR. MARCUM: There is a debate over how that is
12 done.

13 THE COURT: The question is: Where is your evidence
14 that that judgment of grouping is invalid?

15 MR. MARCUM: I think you have to give the doctors
16 the information about all of the different risks and benefits
17 on the primary end point so they --

18 THE COURT: Who am I to tell -- I mean, if these
19 experts, these peer-reviewed specialists, have concluded that
20 it is scientifically valid to group them and to make
21 judgments as applicable to all, where is your evidence that
22 that is an invalid method?

23 MR. MARCUM: We are not saying that it is an
24 invalid method, Your Honor, necessarily. We are saying look
25 at all of the data that went into that study, look at how

1 they put it together and look at the underlying results.
2 Because when you look at the underlying results, I'm telling
3 you on the CTT, the only way to find that benefit across the
4 board was to create a new composite end point. And you can
5 say that that's great and it's a great way to do it, but
6 there are fair criticisms of doing it that way that our
7 experts are going to offer.

8 THE COURT: Okay.

9 MS. BIERSTEIN: If Your Honor has no more questions
10 on this, I'm going to --

11 THE COURT: You tell your client they need to give
12 you battle pay.

13 MS. BIERSTEIN: Thank you.

14 THE COURT: And I would charge them extra every time
15 they stand up behind you.

16 MS. BIRNBAUM: I just find this argument stunning,
17 Your Honor. We want to stop the clock at 2004.

18 THE COURT: I've noticed that.

19 MS. BIRNBAUM: The meta-analysis don't matter.

20 THE COURT: You know, and if we were bringing this
21 case in 2004 --

22 MS. BIRNBAUM: There might have been a question,
23 but this is not 2004. Your Honor, 29 million Americans have
24 taken Lipitor. I mean, it's -- it's a stunning number, but
25 they take it every day. That means there are 250 million

1 patient years of experience.

2 They want to talk about us? Did you hear about the
3 FDA? You asked the question about the FDA. It's like the
4 FDA had nothing to do with any of this. We have a label.
5 The label is not misleading. The FDA decided what was going
6 to be on the label.

7 The FDA -- and if you go to slide 14 -- I mean, I
8 didn't hear counsel mention the FDA. They want to go back
9 and reanalyze ASCOT and say the FDA got it wrong. We'll get
10 to preemption. The FDA didn't get it wrong, Your Honor.
11 This is -- this is the label in adult patients with
12 clinically-evident coronary heart disease. Lipitor is
13 indicated to reduce the risks of myocardial infarction,
14 etcetera.

15 We go to the next slide. Let's look at what the FDA
16 had in front of it. They want to look at only the one piece
17 of this. They forget about the -- there was no
18 heterogeneity, that the gender subgroups showed no difference
19 by gender. The FDA studied this. This was a revolutionary
20 new drug for an indication that was so important to the
21 public health. And, yes, they included a statement, the FDA
22 did, that the results for women were inconclusive, but they
23 had no gender limitation. They thought it works for women
24 and men because they looked at the broader statistics and
25 they found there was no heterogeneity.

1 Look at Dr. Wells' attempt to try to change this
2 with a kind of statistical model that the FDA doesn't use.
3 And the FDA -- he doesn't even use except for this case and
4 this moment.

5 Let's go to -- let's go to CARDS. CARDS was the
6 2005. Again, gender subgroup. Showed no difference by
7 gender. No heterogeneity. And what did they say --

8 THE COURT: Give me your response to -- I mean, I
9 was a little surprised when Ms. Bierstein was saying that
10 CARDS did not establish it was effective in women. I
11 thought they -- the CARDS' authors concluded it was effective
12 in men and women.

13 MS. BIRNBAUM: You are right, Your Honor. And look
14 at what the label said. There is no gender limitation. The
15 label says the effect of Lipitor was seen regardless of age,
16 sex, sex, women and baseline --

17 THE COURT: They disagree with that CARDS. But to
18 say CARDS doesn't support it, that's the conclusion of the
19 authors. The evidence -- and they will go down into the
20 weeds and say, we think that conclusion is incorrect perhaps,
21 you know, we --

22 MS. BIRNBAUM: They want to rewrite the label?
23 They can't rewrite the label here. We don't do anymore
24 studies of this kind because it's already been found to be
25 effective. You don't redo studies over and over again.

1 That's -- no one does that. So it's sort of --

2 THE COURT: Let me say this: Do you think that if
3 Pfizer was engaging in this huge scam affecting billions of
4 dollars of government funds and insurance funds on this
5 treatment that it was not effective, do you think that there
6 would be at least one peer-review study, some inquiry by
7 some, you know, honest, forthright specialist who would come
8 in and looked at this issue and we would not have to rely
9 only on the paid plaintiffs' experts? A party's experts are
10 the only ones who take a view, you know. And contrary to
11 essentially everything else, you need to sort of sit down and
12 say, Whoa, how did they get there? Maybe they are right,
13 maybe lightning has struck, they've got it, they've figured
14 it out, but we've got to look at their methods. And when
15 you look, it's not attractive.

16 MS. BIRNBAUM: Their opinions -- if we go to the
17 next slide, slide 17 -- let me just show you this, Your
18 Honor, because that's a very good question you are asking.
19 I don't care what she calls it. It's the same coin here.
20 Heads, tails, you can't say there is insufficient evidence.
21 That's saying no evidence. And I could show Your Honor what
22 the plaintiffs' experts have said over and over again. It's
23 in the slides. You can see it when you go back.

24 But let's look at this. Their opinions are not
25 generally accepted by the scientific community. They are

1 out there as outliers all by themselves. Dr. Abramson and
2 Dr. Roberts, they have a point of view. They are entitled
3 to their point of view, but it's not -- it's not supported by
4 science. They ignore the totality of the evidence.

5 They pick -- we'll show you when we get to the
6 individuals -- they pick a study here, they pick a study
7 there and they never really confront the totality of the
8 evidence. They draw conclusions that the study never draw.
9 We will show you that is not the way it operates, either, as
10 part of the scientific method. They are advocates. They
11 do not follow scientific standards. They have got no
12 biological explanation for this. And we'll see, they all
13 rely on Dr. Wells' litigation reanalysis of ASCOT's efficacy
14 data. They just don't have anything.

15 And going back and showing you what ASCOT looked
16 like, what ASCOT said -- we know what the FDA said, and the
17 FDA approved it for both genders after looking at ASCOT.
18 And they have never taken it back. There have been 12
19 supplements to the Lipitor label, never changed it, but the
20 plaintiffs want to change it. They can't do it under the
21 science and they can't do it under preemption.

22 One thing that -- one counsel made a comment I just
23 want to correct, and I think Mr. Cheffo wants to correct one
24 thing, and then we'll move on -- talked about the NIH
25 specifically looked at this issue a decade ago. They looked

1 at it because Dr. Abramson asked them to look at it. And
2 you know what they said? Statins should be used in women
3 just as in men because of evidence-based science. That was
4 their conclusion cited at Exhibit 39, Your Honor. I'll be
5 happy to give it to you.

6 THE COURT: Mr. Cheffo, do you have a comment?

7 MR. CHEFFO: Just quickly, Your Honor, while we are
8 on it -- I'll be really brief -- but there has been a few
9 times where I've suggested that counsel may have misspoken.
10 I think on this one I would suggest it's an absolute
11 mischaracterization. You saw that SWOT internal document,
12 the boardroom different thing.

13 THE COURT: The threat.

14 MR. CHEFFO: Again, that's kind of again the
15 questions they've asked. But there is people who have been
16 deposed on that. We are certainly happy to provide that.
17 Bottom line, if you kind of understood, even just reading it
18 a little bit closely, if you take a look back at it, what was
19 basically a Q&A amongst people saying -- I think it was
20 actually even before one of the cardiac big meetings. If we
21 go down, if we get these questions, how are we going to
22 respond? How are we going to do it? They know that. There
23 has been testimony on that. I mean, to put up there and
24 say, Pfizer says this is not efficacious in women, it is just
25 beyond a misstatement of what that document is.

1 THE COURT: So what was that supposed to be if it
2 wasn't a statement?

3 MR. CHEFFO: It was a -- it was -- do you have it?

4 I'm kind of telling you my -- I sat through some of
5 these, it was in the depositions. I defended some of them.

6 So basically this was in connection -- this is my
7 best recollection and we'll give you the actual testimony
8 cites to it, Your Honor -- but basically what happens, I
9 think this was after JUPITER kind of came out, there was
10 going to be a scientific congress, might have been American
11 College of Cardiology. And they basically were talking about
12 what are some of the things that we could talk about? You
13 know, just like this is some of the marketing and other folks
14 kind of figuring out how they are going to address issues.
15 And then there was questions being asked, what are things --
16 these were kind of like hypothetical, what is it that someone
17 might say?

18 THE COURT: These are hypotheticals of what your
19 competing opponent might say about you?

20 MR. CHEFFO: Right, or another manufacturer. And
21 then saying, Well, if they say that, how are we going to
22 respond to that?

23 THE COURT: Where is that? I mean, is that part of
24 the document, how we are going to respond? Because it says:
25 "Lipitor does not have a robust primary prevention of

1 secondary prevention data."

2 MR. TANENBAUM: It is a 93-page document. We'll
3 provide you with the whole thing.

4 THE COURT: Is Pfizer endorsing that view or simply
5 stating what somebody else is going to say about it?

6 MR. CHEFFO: Exactly. This is kind of like, let's
7 get together in a workshop, we may be asked these questions.
8 Someone may take this data and misread it or say it shows X.
9 So instead, let's talk about it in a boardroom.

10 THE COURT: I don't think Pfizer -- look at the one
11 above it: "Crestor has the potential to distinguish itself
12 as the class leader."

13 MR. CHEFFO: That's not a great marketing method
14 saying your competitor is much better than you are. What
15 they are saying in the boardroom is telling you something
16 different is just kind of wildly disingenuous, Your Honor.

17 THE COURT: Okay.

18 MS. BIRNBAUM: I'm going to try to quickly in
19 the -- if you have anymore questions, I'm going to get to Dr.
20 Wells first. He was not the person I was going to do first,
21 but I would be very happy to.

22 THE COURT: Let me ask my folks here -- let's take a
23 break before we get to this. Thank you. 15 minutes.

24 Let me mention something here, it's 1:00. Let's
25 break for lunch. I mean, this is practically -- let's do

1 that and come back at -- try to be back at 2 or as soon
2 thereafter as you can, okay?

3 MS. BIERSTEIN: I just wanted to note, I think I
4 mentioned to you yesterday --

5 THE COURT: I'm going to try to work with you. I
6 have to be somewhere at 4. Once we go through -- we've got
7 the folks under efficacy and then we've got Dr. Abramson and
8 Dr. Fleming. Let's just all work towards getting it
9 finished.

10 MS. BIERSTEIN: I would say, Your Honor, we would
11 be happy -- on whatever we don't reach, we'll be happy to
12 stand on the briefs on anything that -- because we need to be
13 out of here by about 20 after 3, and I would be fine with
14 staying --

15 THE COURT: What time is your plane?

16 MS. BIERSTEIN: About 5. And we've got to get up
17 to the airport.

18 THE COURT: Let me tell you, this is not New York,
19 our little airport, you won't need that much time. But I'm
20 going to make sure you get there.

21 MS. BIERSTEIN: We've got to go pick up our stuff,
22 3:30, something around there. We are happy to stand on the
23 briefs on some of these points.

24 MS. BIRNBAUM: Thank you, Your Honor.

25 THE COURT: 2:00 or as soon thereafter as you can

1 get back, okay?

2 (Thereupon, there was a lunch break.)

3 MS. BIRNBAUM: Good afternoon, Your Honor.

4 THE COURT: Are we ready to talk about Wells?

5 MS. BIRNBAUM: Dr. Wells? I am, Your Honor.

6 Well, first of all, he does -- Dr. Wells is a
7 statistician, as we know. His opinion is that there is
8 no -- not that there is insufficient, he says there is no
9 primary prevention evidence for statins for women, which is
10 interesting. I mean --

11 THE COURT: Well, I was just asking the same
12 question in chambers. I know the lawyers have come in and
13 tried to parse this down to Lipitor, but is the plaintiffs'
14 position that statins are not effective or haven't been
15 proven? Or is it only Lipitor hasn't been proven? What's --

16 MS. BIRNBAUM: I don't know, Your Honor. Only the
17 plaintiffs can argue that. That's not what the reports say.
18 The reports don't distinguish between other statins and
19 Lipitor. I didn't see it in any of these reports.

20 THE COURT: The basic argument is, you know, we
21 started off that Lipitor -- I mean, that statins aren't
22 effective, that's the kind of theme. And now we are down to
23 Lipitor hasn't been proven.

24 MS. BIRNBAUM: Right.

25 THE COURT: We are back and back and back. But

1 the -- but as smart as the lawyers are -- and these are smart
2 lawyers on both sides -- the question is, is that what the
3 experts testified to?

4 MS. BIRNBAUM: It's not what they reported -- look,
5 this is what Dr. Wells said in his report: "There is no
6 primary prevention evidence of statins in women." None.
7 He didn't say there is insufficient; he didn't say let's
8 distinguish between statins. When we get to what he does
9 with the meta-analysis, he doesn't say the meta-analyses are
10 wrong. He doesn't say that the meta-analysis is really about
11 other statins. All he says in the meta-analysis, let's take
12 things out of it. I wouldn't do it this way. I would take
13 JUPITER out. I would take everything out. But the authors
14 didn't think they should be taking anything out. And
15 everybody -- nobody has, in a peer-reviewed publication, ever
16 said anything about that.

17 Next slide. Let's then look at what he does. He
18 disregards the totality of the evidence. He isn't even as
19 bad as some of their other experts are. He relies on his
20 own *Law Review* article that he published in 2008 in which he
21 looked at five -- not a scientific study, a *Law Review*
22 article -- and he talks about five studies that he relies on.

23 Interestingly enough, only one of those studies is a
24 Lipitor study, three others are pravastatin, and one of those
25 studies are men's only study.

1 And then we are going to get to CASHMERE. This is
2 the big a-ha moment for Dr. Wells and the plaintiffs. You
3 didn't tell us -- you didn't -- you didn't talk about
4 CASHMERE, and this is a new study. Well, CASHMERE has
5 nothing to do about primary prevention in women. It's a
6 12-month imaging study of Lipitor, where Lipitor and hormone
7 therapy -- they are looking at the thickness of the artery
8 walls. It has no end point for cardiac incidents, it has
9 fewer than 800 patients, it has a neutral result and it's not
10 designed to study this issue.

11 But he thinks -- and then he says, Oh, you never
12 published CASHMERE. Well, we included it in the -- on
13 the -- on the Internet and the industry guidelines. But it
14 was like a nothing study. This is not a study that has
15 anything to do with what we are talking about here.

16 So what does he do now with the meta-analysis? As
17 they say, he says, Well, you know, we should have carved
18 out -- the authors should have carved out JUPITER,
19 revascularization, diabetic patients and data from secondary
20 prevention studies.

21 Did he test his theory against the hypothesis -- did
22 he test his hypothesis against the total data? No. Did he
23 publish his criticisms in any peer-reviewed scientific
24 literature? No. It's only for this litigation. And it's,
25 of course, contrary to what those authors did.

1 And remember, these are all disinterested
2 scientists. Nobody has criticized them as having skin in
3 the game. And when he's asked in his deposition:

4 "Question. Of his 27 trials in the CTT 2510
5 meta-analysis, have they been published?

6 Answer. Each one of those trials has been
7 published, yes.

8 Question. And you haven't reviewed all of them, the
9 even published versions of these studies?

10 Answer. No, I haven't done any meta-analysis on all
11 the trials."

12 And then he goes on, he says:

13 "Question. Among all 27 statins, they reduce the
14 risk of major coronary events. Do you see that?

15 Answer. Yes."

16 And he says:

17 "Question. With significant reductions in both
18 women and men. Do you see that?

19 Answer. Yes.

20 Question. Do you disagree with that?

21 Answer. I don't have the data. I'm just reading
22 what they have written.

23 Question. So you don't have any way to disagree
24 with him?

25 Answer. I don't have any way to disagree with it."

1 So there is no way to disagree with the studies.
2 Does he distinguish that these studies in the meta-analysis
3 were statin -- that they only didn't have Lipitor, that they
4 were statin only? No. These are the grounds in the previous
5 slide that he looks at in the meta-analysis.

6 THE COURT: He disputes JUPITER. He thinks JUPITER
7 is not valid.

8 MS. BIRNBAUM: JUPITER should be out. It's not
9 that JUPITER is not valid, JUPITER is changing the equation
10 when they look at it. It's sort of the dominating in his
11 opinion. Well, that's fine, he's entitled to his opinion.
12 But no scientific basis for saying JUPITER should not be in.
13 He has -- it's pure speculation. He has no -- no basis for
14 that scientifically.

15 And when he's asked about the guidelines, the
16 American Heart Association guidelines, this says:

17 "Question. The findings support the use of statins
18 to prevent both nonfatal and fatal arteriosclerotic coronary
19 vascular disease, reduce the large burden of disability from
20 nonfatal stroke for which women have a higher risk than men
21 in nonfatal CHD events, correct?"

22 Answer. That's what it says.

23 Question. Do you have any reason to disagree with
24 it?

25 Answer. I don't."

1 I don't. That's what they are saying. I haven't
2 analyzed the data. Again, he -- he's their expert. He
3 hasn't analyzed the data. Again, I haven't analyzed it.
4 "They are an expert panel, so I don't have any reason to not
5 believe it." That's Dr. Wells.

6 So what does Dr. Wells do? Well, they had a problem
7 with the heterogeneity analysis in ASCOT, right? Because
8 ASCOT concluded that there is no difference between men and
9 women. But they don't like that result. So what does Dr.
10 Wells do? He's a statistician and he's being paid by the
11 plaintiffs. So he says, Wait, I've got a statistical
12 model -- and that statistical model is not used by the ASCOT
13 investigators and it's not generally used for heterogeneity
14 by gender in most of the time -- but if I use that, then I'm
15 going to come up with a different result.

16 So what he's done, I believe, is he's came to a
17 conclusion first that he had to get a different result. And
18 then he's doing research to raise that --

19 THE COURT: Your claim is he's result driven?

20 MS. BIRNBAUM: Absolutely. And I'm going to show
21 you why in the next slide.

22 So this is the ASCOT study. It's the most commonly
23 used model. It's prespecified in ASCOT protocol. This
24 wasn't something that they made up after the fact; it's used
25 by the ASCOT authors and it was peer reviewed.

1 And although I don't want to give Jewell any credit,
2 he was used by Dr. Jewell himself in his gender analysis.
3 This was -- this was the statistical model he used.

4 And more importantly, it was accepted by the FDA.
5 The FDA didn't ask us to use a different model. This is a
6 model that was perfectly fine for the FDA.

7 Let's look at Dr. Wells' model. It's not a standard
8 model, Aalen model. He himself never has published any
9 paper using this model. His ASCOT reanalysis is not
10 published or peer reviewed. So no one could look at it and
11 say, A-Ha, this model is ridiculous in this context. He
12 knows of no one else using this model with statin efficacy
13 data, can't point to anyone else using it in this context.
14 And no researcher has rejected the Cox model in ASCOT saying
15 it shouldn't have been used. And he has no real rationale
16 for why he chose that model. It just gave him the number,
17 the statistical number he used. And people, other of their
18 experts, rely on this model.

19 And I would remind Your Honor the *Paoli* case, any
20 step, any step that renders the analysis unreliable under the
21 *Daubert* factors renders the expert's testimony inadmissible.
22 This is true when the step completely changes a reliable
23 methodology or it merely misapplies that methodology. They
24 didn't say you couldn't use this methodology, but he
25 misapplies it.

1 THE COURT: Ms. Birnbaum, what's the effect of Dr.
2 Wells if I sustain your *Daubert* motion on the plaintiffs'
3 efficacy argument?

4 MS. BIRNBAUM: On the other -- on the other
5 experts? I think that they rely on his -- anybody who would
6 rely on this analysis --

7 THE COURT: Has anyone else done any independent
8 statistical work themselves that has the expertise to do it?
9 If his goes, does their testimony go on efficacy?

10 MS. BIRNBAUM: I think it does, Your Honor.
11 Now --

12 THE COURT: I'm going to hear what Ms. Bierstein has
13 to say about that. She may have a different opinion.

14 MS. BIRNBAUM: I'm sure she will.

15 Now, I would like to stop here for a moment. That's
16 all I have on Dr. Wells.

17 THE COURT: Okay.

18 MS. BIRNBAUM: Would you like me to go through the
19 others or to save time can --

20 THE COURT: I'm really -- the way I'm thinking about
21 this, I would rather hear from the plaintiff on Dr. Wells.
22 It just keeps me focused. And then we'll go to the next one.
23 I think everybody is getting more efficient as the afternoon
24 goes, I appreciate, as the days go.

25 MS. BIRNBAUM: Thank you.

1 THE COURT: Okay.

2 MS. BIERSTEIN: Your Honor, I'm going to be very
3 efficient, because I have essentially nothing to add to what
4 is in our papers on this. And for the most part I want to
5 rest on the papers, other than to point out to Your Honor
6 that under *Daubert* it is not the expert's work that needs to
7 be subject to peer review or publication; it's the
8 methodology. The Aalen model is a tested, peer-reviewed
9 methodology. It's a statistical tool.

10 I think, as we explained in our papers, there is a
11 very good reason that Dr. Wells explained in his report, in
12 his deposition, and we've set it forth in our brief that I
13 would refer you to, as to why the Cox model, which was
14 prespecified, which is before anyone had any reason to
15 understand that the basic problem here is that the Cox model
16 works great as long as the underlying assumption under it,
17 which is that the ratios don't change over time, as long as
18 that is true, the Cox model is a great tool. You don't know
19 in advance before you do something if that's going to be the
20 case.

21 Dr. Wells tested for it. He discovered that in
22 this case it appears not to be true, which makes the Cox
23 model completely circular. It assumes something that was
24 not true and then it manages to -- and then you go from there
25 because --

1 THE COURT: If I concluded that Dr. Wells' use of
2 various models is result driven, would that affect the
3 validity of his testimony?

4 MS. BIERSTEIN: I'm not sure what you mean by
5 "result driven," Your Honor.

6 THE COURT: You want him to reach a predetermined
7 conclusion and that he applied methods to -- to accomplish
8 that result, would that undermine his -- the validity of his
9 testimony under *Daubert*?

10 MS. BIERSTEIN: I think his -- his subjective
11 intent is not the issue in *Daubert*. If he used --

12 THE COURT: If he manipulated, normally would not
13 have been used, if he did it to produce a result, and I
14 concluded that, would that be a basis under *Daubert* to grant
15 the defendant's motion?

16 MS. BIERSTEIN: I think it would be a basis, Your
17 Honor. But I think your concluding that would be reversible
18 error. I think your conclusions on the facts would be
19 reversible error, but you would have to reach that legal
20 conclusion.

21 THE COURT: Perhaps you have appeared before judges
22 who the threat of appealing them is something that disturbs
23 them. I welcome -- I am in the middle of some of the most
24 important litigation in the United States in a whole variety
25 of appeals, I seem to be a magnet for this. If you want to

1 appeal anything I do, have at it. It doesn't concern me the
2 least. I respect your right to do it. But don't threaten
3 me with it, okay? And I took that as a threat. It doesn't
4 affect me.

5 MS. BIERSTEIN: I did not mean it as a threat, Your
6 Honor, and I apologize. What I meant to say is since I think
7 I disagree so strongly with the factual predicate of your
8 question, that the conclusion seemed difficult, because I
9 disagree with the factual predicate.

10 THE COURT: You know, I had a colleague, Judge
11 Hemphill, who is long deceased. I got the privilege of
12 practicing in front of him years before earlier. He used to
13 have in the courtroom a map, a roadmap. And when you walked
14 in there the first time, you don't know why there was a
15 roadmap on the wall of the courtroom. It was a map of the
16 Eastern United States. And when someone made a statement
17 like you, he would look at the map and he said, That's the
18 road to Richmond.

19 So, you know, I don't expect the prevailing party
20 here on any issue to be happy with me. And I figure someone
21 is going to appeal. I'm going to call the balls and
22 strikes, and I'm going to do the best I can.

23 MS. BIERSTEIN: I appreciate that, Your Honor. I
24 apologize. I certainly did not mean that as a threat.

25 I have nothing more to add on Dr. Wells.

1 THE COURT: Let me ask you this: Did Dr. Wells --
2 you know, one of the issues is did he consider the full body
3 of the evidence? And is it correct that he did not consider
4 the underlying data in the CTT studies?

5 MS. BIERSTEIN: I think that -- I'm not sure -- I
6 mean, some of the data that he didn't consider is data that
7 is not publically available, but I'm not certain about --

8 MR. MARCUM: Your Honor, he doesn't have the
9 underlying data. Again, that's 27 different studies; only a
10 couple of which involve Lipitor.

11 THE COURT: Don't -- listen, y'all are talking about
12 the Lipitor. His opinion is broader, it's to statins.

13 MS. BIERSTEIN: But the data is not --

14 THE COURT: Your testimony is that the data on the
15 27 is -- none of it is available on the 27?

16 MR. MARCUM: It is held essentially in secret by
17 the CTT. It was provided to them by the initial trialists.
18 In other words, if I ran a pravastatin trial, I provided it
19 to the CTT, the CTT has publically said they have agreed to
20 hold that data in confidence. So it is not available to us
21 unless we were somehow to obtain it in litigation in this
22 particular case involving just Lipitor. I don't know that
23 it would have been appropriate for us to ask the manufacturer
24 of pravastatin for their underlying clinical data.

25 MS. BIERSTEIN: It's proprietary data. The

1 manufacturer, when they run their own trials, it's
2 proprietary data to the manufacturer and they don't typically
3 release it. They didn't release it here.

4 So it's not that Dr. Wells, when he said, I don't
5 have the data, it's not that Dr. Wells is saying, I can't be
6 bothered to look at the data.

7 THE COURT: If y'all would have asked me to get it,
8 I would have ordered them to produce it. Do you want it?

9 MR. MARCUM: Would you get it for us?

10 THE COURT: I'm just --

11 MR. MARCUM: If you are making that offer, because
12 perhaps --

13 THE COURT: I'm just saying, I'm just -- so all 27
14 reports, none of them -- of the underlying data --

15 MR. MARCUM: The published studies.

16 THE COURT: Did he review the 27 published studies?

17 MS. BIERSTEIN: There wasn't 27 published studies,
18 Your Honor. There is 27 studies underlying one published
19 paper. It's one published meta-analysis. He reviewed the
20 paper.

21 MR. MARCUM: I think his answer is I didn't review
22 a meta-analysis.

23 MS. BIERSTEIN: He didn't do an underlying data.
24 He read their meta-analysis, but he could not do his own
25 analysis of the data because he didn't have the data.

1 In the same way, Your Honor -- just to make a point
2 here -- when the Waters paper came out with the SPARCL TNT
3 and IDEAL data, the main reason for publishing that paper was
4 that the Sattar paper that preceded it didn't have access to
5 Pfizer's proprietary data. Pfizer had not made it available.

6 So when Sattar did his meta-analysis, he could not
7 include Pfizer studies. Then Pfizer said, We are going to
8 give our data to Dr. Waters. We'll let him do our analysis.
9 It's very common for the data from a company's study to not
10 be available. And Dr. Wells is saying, I didn't look at the
11 data, I didn't do my own meta-analysis.

12 THE COURT: How about -- also true for the
13 underlying support from the American Heart Association
14 recommendation? Is that also not publically available?

15 MR. MARCUM: Again, that would be a situation where
16 the published studies that may go into those guidelines --

17 THE COURT: Did he say he did or did not review the
18 published studies?

19 MR. MARCUM: I don't recall that off the top of my
20 head.

21 MS. BIERSTEIN: I don't recall. I think the only
22 study that he had access to is what Pfizer produced in
23 litigation. That's the only data he got was what Pfizer
24 produced, because we had the right in litigation to make them
25 turn over data. That's the only data that he had.

1 But as -- I mean, what scientists do when papers are
2 published -- I mean, I think what is common in the world of
3 science is a paper is published and other scientists may read
4 it and say, You know that study design described in here,
5 that doesn't really sound very strong, and some other study
6 looked better. And then they will write a paper responding.
7 They don't necessarily look at the underlying data. But
8 there is still a scientific debate that is based on looking
9 at the data.

10 So for example, Dr. Wells published a letter in
11 circulation in the *Journal of the American Heart Association*
12 criticizing a published study. That was a Dr. Mora study.
13 The circulation study published his criticism. There was
14 another doctor who also published a criticism of Mora, and
15 then I think there is a Mora response. So it's a debate
16 back and forth in the scientific community.

17 THE COURT: Did he publish the critique of the -- of
18 the CTT study?

19 MR. MARCUM: I don't know if he published a
20 critique.

21 Can I address the result driven question? Tell you
22 something he did publish, which is in 2008 --

23 THE COURT: It's a *Law Review* article.

24 MR. MARCUM: That is correct. Addressing this
25 ASCOT efficacy issue.

1 THE COURT: Before I hear a peer review, your peers
2 review it; not lawyers. And wasn't one of the coauthors the
3 editor of the journal? The whole point is you can't get
4 somebody to put it in print, it is whether you are peer
5 reviewed.

6 MR. MARCUM: He had no reason at that time to find
7 a particular result. His wife's doctor apparently had been
8 told to take a statin. He was curious what the evidence was.
9 He looked at it from that nonlitigation-driven perspective.
10 And he reached a result that's consistent with the one he
11 reached in this case.

12 MS. BIERSTEIN: It goes to his motive, Your Honor.
13 I understand it's not peer reviewed. But in terms of his
14 motivation, he had no litigation motivation. He came to the
15 conclusion as an independent person.

16 I think Mr. Marcum's point -- I understand your
17 point about publication. As I said before, *Daubert* is not
18 about whether your result is published; it's about whether
19 your methodology is published.

20 We go back to the idea of the lie detector test in
21 *Frye*. Like does anyone believe this actually works? Not,
22 Have you published the result when you subjected your
23 particular defendant to the lie detector?

24 For example, Your Honor, Dr. Wells could not publish
25 any of his analysis of ASCOT. He's precluded from doing so

1 by Pfizer. Because when Pfizer produced the data, it was
2 produced confidentially, marked confidential, cannot be
3 published, cannot be used by him. He would -- he is legally
4 bound. He's not allowed to take his analysis of it and send
5 it to a peer-reviewed journal unless Pfizer wants to agree
6 and say, We are willing to subject this to peer review. So,
7 yeah, we are going to let you use our data. Submit it and
8 see if you can get anyone to publish it. They haven't said
9 that. But if they did, I'm sure he will be happy to try to
10 get it published.

11 THE COURT: Okay.

12 MS. BIERSTEIN: But as I said, Your Honor, I have
13 nothing further from Dr. Wells.

14 THE COURT: Thank you.

15 Ms. Birnbaum, do you want to respond to any of that?

16 MS. BIRNBAUM: Yes, Your Honor, just very briefly.

17 First of all, experts all the time ask for data.
18 If they wanted the data, they could have gotten it. But
19 that's beside the point --

20 THE COURT: First of all, they could have asked
21 professionally for it. Secondly, they had something no
22 researcher has, subpoena power, right?

23 MS. BIRNBAUM: Exactly. But --

24 THE COURT: And I would have -- you know, I would
25 have helped them. But they don't usually need my help.

1 MS. BIRNBAUM: They don't want it is the answer.
2 If you go to 56, that's not what he says in his testimony.
3 He's asked:

4 "Question. Of the 27 trials, have they been
5 published?"

6 He said:

7 "Answer. Yes, all 27 have been published.

8 Question. And you haven't reviewed all of them" --
9 that has nothing to do about data -- "the even published
10 version of the studies, right?"

11 Answer. No, I haven't done any meta-analysis on all
12 the trials."

13 I mean, these lawyers keep testifying, but it's not
14 what their experts say. They keep adding things.

15 THE COURT: Are these studies --

16 MS. BIRNBAUM: Published? I assume they are.
17 That's the question that was asked. He agreed it was. I'm
18 not sure I can answer that.

19 THE COURT: Well, you know, I don't take it because
20 he said it was that it was.

21 MS. BIRNBAUM: I'm sure someone can answer that
22 question in the packed bench. I think they are.

23 MR. CHEFFO: I think the --

24 THE COURT: Let me ask you this: We are going to --
25 the defendant asserts this argument that these things in the

1 American Heart Association and in the -- and in the
2 recommendation and in the CTT were published. And what I'm
3 going to ask you to do when you submit this response on
4 October 29th -- September 29th -- would you please provide
5 me -- if you want to provide me the underlying studies, or if
6 it's too voluminous, just citations to them. I want to know
7 whether or not they are actually available or not.

8 I mean, I think to the extent they are available --
9 Ms. Birnbaum seems to think they were -- that's one issue.
10 To the extent they are not available, the question is: How
11 available were they? You know, when you are in litigation,
12 you can get almost anything like this. I mean, there would
13 be no reason not to be able to get it.

14 So we might have had to get it pursuant to a
15 confidentiality order or something, but we could have gotten
16 it, and I think we all know that. And it goes to this
17 issue, which is very important, did he consider the data?

18 I mean, there is -- you know, very strong
19 conflicting data to his opinion that statins are ineffective.
20 That's his opinion. And the lawyers have now reduced it down
21 to, you can't prove it's effective. But his opinion is no
22 statin is effective. And that's his opinion, entitled to
23 it. Wife was prescribed it. He went in and looked at it.
24 That's his conclusion. That's fine. It doesn't mean it
25 meets the satisfactory scientific methodology. And if he

1 didn't consider certain very important data, that's one sort
2 of strike against him.

3 Mr. Tanenbaum?

4 MR. TANENBAUM: Your Honor, I apologize. And I'm
5 learning, but there is a difference, as Your Honor knows,
6 between a trial, the data that comes from it and the study
7 that is published.

8 THE COURT: I completely agree with you. And what
9 I want to know is what was available? I mean, you know,
10 you've got a meta-analysis. In some ways people are relying
11 that the people doing the peer-reviewed meta-analysis
12 reflected some integrity and honesty and so forth, and it
13 reaches a conclusion which is completely contrary to his,
14 okay? And the question is: Did you consider that? I
15 mean -- and he says, Have you reviewed the even published
16 versions of these studies? And his answer is: No, I haven't
17 done it. That's just sort of -- you know, when you've got a
18 major study, it's 2015, major study, completely contrary to
19 your view, no, haven't looked at it --

20 MR. TANENBAUM: If I could just address one other
21 issue? Because I go through this in the products liability
22 litigation all the time.

23 For example, we talked about this tire case. I
24 have brought suit against Good Year. It has to do with the
25 halobutyl content and the thickness of the inner liner. No

1 matter how I tried, I cannot get Michelin's formula for its
2 inner liner or its thickness. The same that would be true
3 with Continental, with all of the other manufacturers. Are
4 the tests that they've done to validate --

5 THE COURT: So are you representing to me he could
6 not have gotten --

7 MR. TANENBAUM: I don't have a clue. But I do know
8 if I had asked Your Honor or Judge Duffy to issue a subpoena,
9 the question would not be for us to resolve. We would end up
10 litigating against Nelson Mullins or somebody who would come
11 in on behalf of the manufacturer and contend, of course, of
12 course it's all kinds of confidential, trade secret
13 information, etcetera, etcetera.

14 You don't -- as Your Honor will recall from your own
15 trial days, you don't end up getting that kind of information
16 from third parties.

17 MS. BIRNBAUM: This is totally different, Mr.
18 Tanenbaum. We are not talking about --

19 MR. TANENBAUM: I'll address the Court.

20 THE COURT: Address me; not Mr. Tanenbaum.

21 MS. BIRNBAUM: This is stuff that is out there and
22 been examined by --

23 THE COURT: Let's figure out if it's out there. I
24 think it's a fair question. And let's figure out exactly
25 what is out there.

1 You know, there is this sort of sense I have -- I
2 want to confirm it, you know, I want to be rigorous myself --
3 is that he didn't want to see the information. He didn't
4 want it because it's completely contrary to his conclusion.
5 And, you know, what we know from *Daubert* is you can't stick
6 your head in the sand. You can't ignore relevant data and
7 studies and results because they don't comport with your
8 view. That's not the scientific method.

9 MR. TANENBAUM: I think it's important that we
10 treat the trial --

11 THE COURT: I agree with you. And I do sort of
12 wonder how, you know, how much of these -- how do you plead
13 of the -- I mean, this is a, you know, fairly significant
14 study, this CTT. And if it's invalid, then -- a good reason
15 to say it's not valid, that's fine, I'm open to hearing it.
16 But simply to ignore it is not good enough.

17 MR. CHEFFO: We'll go through each one of them and
18 tell you if it was published, where. And if it wasn't, what
19 the best information is. I'm sure once we pour through the
20 data, we will have cross-references. We just don't have it
21 right now.

22 THE COURT: Anything further on this issue?

23 MS. BIRNBAUM: No, Your Honor.

24 THE COURT: Very good.

25 Let's move to the next person. Ms. Roberts.

1 MS. BIRNBAUM: If you want Roberts, we'll do
2 Roberts.

3 THE COURT: This is on efficacy with Dr. Roberts.

4 MS. BIRNBAUM: Slide 42.

5 Dr. Roberts says in ASCOT Lipitor was not found to
6 be protective for women. That's something different also.
7 She says CARDS did not provide evidence that treatment with
8 Lipitor lowered cardiac risk. Not only has Lipitor been
9 shown to reduce points like heart attacks, CVD, death or
10 stroke when used for primary prevention in women, no other
11 statin has been shown to do so either.

12 THE COURT: Statins.

13 MS. BIRNBAUM: She hates statins, that's fine, but
14 she can't come in because she doesn't have a scientific
15 method.

16 So let's look at what she concedes. Statins do --
17 she does admit that statins reduce LDL cholesterol. Well,
18 this is going to get her into a little problem when we get to
19 the bottom of her analysis. She prescribes statins for some
20 women and statins reduce their LDL.

21 THE COURT: How could you give them if you thought
22 they didn't work?

23 MS. BIRNBAUM: Your Honor, I do not know. That's
24 what she testified to. Statin clinical trials show
25 secondary prevention in women. So she admits that once you

1 have a heart attack and you are a woman, then you should take
2 Lipitor because it's going to help you. But she can't
3 explain biologically why statins would prevent a second heart
4 attack but wouldn't prevent a first heart attack.

5 THE COURT: What method -- I mean, she's not a
6 statistician; she's a cardiologist. Does she rely on Dr.
7 Wells?

8 MS. BIRNBAUM: Um, not exactly. Not exactly.
9 I'll show you what she does.

10 THE COURT: You know, what *Daubert* is telling us, if
11 you are going to do a causation analysis, you've got to --
12 you've got to show that it's statistically significant; not
13 subject to random chance.

14 MS. BIRNBAUM: Right.

15 THE COURT: You've got to -- there is a method for
16 this. And to the extent that Dr. Wells has a defensible
17 statistical analysis, I think it's fair game for her to rely
18 on that, okay?

19 MS. BIRNBAUM: She does not rely on that. Her
20 report is very short.

21 THE COURT: It's like four pages long.

22 MS. BIRNBAUM: Right. She relies on these five
23 studies. She doesn't look at the totality of the evidence.
24 She doesn't mention the meta-analyses. She doesn't mention
25 what is done in her own profession by the American Heart

1 Association, the ADA, etcetera. She looks at these five
2 studies.

3 THE COURT: Isn't there a problem if you just limit
4 to a finite number of studies when there is a body of
5 contrary information?

6 MS. BIRNBAUM: It's one of the reasons methodology
7 doesn't work. You have to look at the totality. It's a
8 given in methodology and scientific methodology.

9 THE COURT: She, in her own practice, can rely on
10 whatever she wants to. That's her business.

11 MS. BIRNBAUM: She could write whatever book she
12 wants to, and she can say statins are terrible, but she
13 doesn't have a scientific, methodological basis for saying
14 it. She can feel it. She can believe it. I don't have any
15 problem with her. But she can't come into this courtroom
16 because she does not have scientific evidence.

17 What did she do here? She says JUPITER. We know
18 JUPITER does show benefit in women. I don't even know how
19 she puts JUPITER in here. It's not -- it's not a Lipitor
20 product, we know that, but JUPITER showed effects in both men
21 and women. So she doesn't look --

22 THE COURT: See, that was sort of part of my point
23 is that I think the plaintiffs' counsel has looked at this
24 record and said, Okay, all we can go, as far as we think we
25 can go credibly, is simply say something the experts don't

1 say, which is, you just haven't proven it regarding Lipitor.
2 We are going to -- but the experts are out there saying
3 statins don't work for women. That's not -- the
4 subtestimony is just not there. It's not what they say.

5 MS. BIRNBAUM: Because, Your Honor, the lawyers are
6 backing up. They are back filling. They don't have the
7 evidence. They can't rely on anything, so now --

8 THE COURT: When did this lawsuit begin?

9 MS. BIRNBAUM: When did it begin?

10 THE COURT: Yeah. When did the first set of
11 claims -- 2012 maybe?

12 MR. CHEFFO: Two years, two and a half years.

13 THE COURT: Before CTT, right?

14 MS. BIRNBAUM: Before the CTT. But there were
15 others in 2013. CTT is just bigger.

16 THE COURT: Right. But what I'm saying is that
17 part -- you know, part of the mass tort world is that you try
18 to get to the courthouse as quickly as you can when you have
19 a potential claim. It's part of the business of it. We all
20 recognize what is going on. And sometimes the science
21 doesn't have a chance to catch up with -- you know, the
22 science lags behind the lawsuit. And I've watched a number
23 of lawsuits -- all of us remember the breast implant
24 litigation.

25 MS. BIRNBAUM: I was in it, Your Honor. I remember

1 it well.

2 THE COURT: And, you know, it was several years into
3 it when the Harvard study came out, and it kind of undermined
4 the position. Could have gone the other way, the plaintiffs'
5 counsel would have looked like geniuses, but the data wasn't
6 there yet.

7 MS. BIRNBAUM: This is not the case, Your Honor.
8 The data is there. The data is overwhelming. The data has
9 been there. There is general acceptance in the medical,
10 scientific, regulatory community. It is only plaintiffs'
11 experts that have a different opinion.

12 THE COURT: Let me say whatever evidence there was
13 before 2013 there certainly has been additional comprehensive
14 evidence since 2013 --

15 MS. BIRNBAUM: Absolutely.

16 THE COURT: -- on efficacy. And the only way you
17 can do it is attack it or ignore it.

18 MS. BIRNBAUM: That's exactly right.

19 She relies on ASCOT. Again, we go back to ASCOT.
20 Everybody goes back to ASCOT.

21 By the way, when we get to ASCOT and the FDA label,
22 there is nothing anybody hid in ASCOT. ASCOT, the label
23 says it was inconclusive in women. I mean, we'll talk about
24 some of these other experts who really attack the label.

25 THE COURT: We haven't talked about preemption here.

1 You know, I understand the plaintiffs' claim to be both
2 labeling and marketing. So I don't think marketing would be
3 preemptive.

4 MS. BIRNBAUM: I think it would if you are
5 marketing it as indicated, that you are marketing pursuant to
6 the FDA's label. They can't attack our marketing if we are
7 saying it is indicated for women for primary prevention.
8 That's the label. We haven't done anything wrong.

9 THE COURT: Your view is that the marketing is
10 consistent with the label; it's also subject to peer review.

11 MS. BIRNBAUM: Absolutely.

12 THE COURT: I mean subject to preemption.

13 MS. BIRNBAUM: Absolutely, Your Honor. So again,
14 when she looks at ASCOT, she doesn't rely, by the way, on
15 Wells' analysis, but she just doesn't pay any attention to
16 the heterogeneity situation. So if you rely on medical
17 literature conclusions not drawn in that literature, this is
18 not an accepted methodology.

19 And last but not least, her opinions are neither
20 disinterested nor objective. She has a point of view that
21 many few people have. She writes a book. It's not a
22 peer-reviewed book. It's a popular book and she's
23 entitled --

24 THE COURT: Nothing wrong with that.

25 MS. BIRNBAUM: She can write as many books as she

1 wants, but she can't come into a courtroom when she doesn't
2 have the scientific methodology.

3 Very briefly, Dr. Quon. He does say it appears that
4 there is no compelling evidence for women to use Lipitor
5 therapy for primary prevention of CVD. He relies on ASCOT,
6 Mora, which is a meta-analysis, and CASHMERE.

7 Let's talk about each one of those. ASCOT. We
8 know what the FDA did with ASCOT and there was no finding of
9 heterogeneity.

10 Now, Mora, I don't understand what he's relying on
11 Mora because Mora found statin therapy in women significantly
12 reduced CVD by about one-third in exclusively primary
13 prevention trials, so I don't get it.

14 And CASHMERE is a study, as we showed you, I think.
15 So -- and when he's asked:

16 "Question. Do you agree that statin therapy reduces
17 cardiovascular disease in men and women who are prediabetic?

18 Answer. Yes."

19 He agrees with that.

20 "Question. Have you said in any scientific article
21 that statins, and Lipitor in particular, are ineffective or
22 less effective in women compared to men?

23 Answer. I don't think so because we have never
24 done -- like I said, we've never done that gender
25 subanalysis."

1 So that's Dr. Quon.

2 THE COURT: So what's his opinion? That's not his
3 opinion. What is his opinion?

4 MS. BIRNBAUM: His opinion is it appears -- it
5 appears there is no compelling evidence for women to use
6 Lipitor therapy for primary prevention.

7 THE COURT: The standard isn't compelling
8 evidence --

9 MS. BIRNBAUM: Right.

10 THE COURT: -- right? That's not the standard.

11 MS. BIRNBAUM: And he also doesn't discuss the
12 meta-analysis. He stops at about 2008. It's like the rest
13 of time never -- never ended his decision making or his
14 opinion. And he doesn't rely on Wells.

15 Now Dr. Fleming. We have Fleming next.

16 THE COURT: Can I do just one thing? I want to hear
17 from Ms. Bierstein on the preemption issue because I didn't
18 get that. I think that's -- I want to hear from her.

19 MS. BIRNBAUM: By the way, when we are talking
20 about Fleming and we are talking about Abramson, they really
21 go to the heart of the preemption issue because they take the
22 position the label should be changed.

23 THE COURT: And I agree that's an issue. But I
24 want to give her a chance to address the preemption issue.

25 MS. BIRNBAUM: Do you want me to do it first?

1 THE COURT: Why don't you go ahead and let her
2 respond. Fair enough. I thought you had done some of that
3 already, but go ahead.

4 MS. BIRNBAUM: So let's go to -- let's go to the
5 next slide. Preemption here means that of course it's an
6 impossibility. It's impossible for a private party to
7 comply with both state and federal requirements. And there
8 is -- the Supreme Court --

9 THE COURT: What year is the label? 2004?

10 MS. BIRNBAUM: 2004.

11 THE COURT: So we have ASCOT already?

12 MS. BIRNBAUM: We have ASCOT.

13 THE COURT: We have the NDA data?

14 MS. BIRNBAUM: Right. And we have CARDS. So
15 it's --

16 THE COURT: We have CARDS.

17 MS. BIRNBAUM: So it's 2004. And then 2005 --

18 THE COURT: Okay.

19 MS. BIRNBAUM: -- they added the CARDS.

20 THE COURT: So the only study that CASHMERE -- is
21 there one study?

22 MS. BIRNBAUM: That's the one study that they find
23 which is not a study for this purpose. So we -- the trilogy
24 of cases --

25 THE COURT: I know the cases. I know the cases.

1 MS. BIRNBAUM: I'm not going to go into them. The
2 *Bartlett* case is the most recent one, and I will talk about
3 that because they make an argument that we could stop selling
4 the product, which is *Bartlett*. *Bartlett* says that's not an
5 argument. Because if that were an argument you would have no
6 preemption because anybody could stop selling it. That's
7 not what we do here. I'll get to that in a couple of
8 minutes.

9 So let's look there. Let's cover the next one.
10 Let's see what their experts say. He says -- Dr. Abramson.
11 "I would not have" -- "I would not have Lipitor be indicated
12 for primary prevention in women." The family doctor who has
13 no experience with the FDA makes that determination.
14 Somehow the FDA should not have had Lipitor for primary
15 prevention in women.

16 And what does Dr. Fleming say? He is a regulatory
17 expert -- and we are moving to strike his opinion
18 altogether -- but here he says: "Pfizer failed to inform
19 doctors about the lack of benefit for women using Lipitor for
20 primary prevention." And then he says: "The Lipitor label
21 is misleading with respect to efficacy in women."

22 Well, once the FDA approved the label, that's the
23 label we have to go with, so -- I'm not going to take you
24 back to the Complaint.

25 So let's see what the plaintiffs do not -- do not

1 dispute. They say the FDA found the primary prevention
2 indications. They admit that. And the FDA had the
3 evidence they had and they found that the label was not false
4 and misleading. It comes back to we can sell --

5 THE COURT: I understand your argument about
6 impossibility on the labeling. But if you concluded that
7 the information is not accurate. You wouldn't have to
8 mark -- continuing marketing in accord with the label,
9 correct?

10 MS. BIRNBAUM: Say that again, Your Honor.

11 THE COURT: You assert it's impossible to change the
12 label unilaterally, right?

13 MS. BIRNBAUM: Right.

14 THE COURT: There are certain limited circumstances
15 where you can, but it's got to be based on new data; not old
16 data. But if you became aware from a reanalysis of the old
17 data that, in fact, what you thought was true wasn't true, no
18 one would require you to continue to market the drug in
19 accord with something you now knew wasn't true, right?

20 MS. BIRNBAUM: Well, I guess we could go to the
21 FDA.

22 THE COURT: It's not impossible. I mean, that's
23 part of the argument.

24 MS. BIRNBAUM: It is impossible. And let me tell
25 you why, because you have to make a CBE change, okay? The

1 cases say the fact that you could go to the FDA and ask the
2 FDA to change your label and the FDA may or may not change
3 your label, that doesn't count. You have to be in a
4 position to unilaterally change your label so that you can
5 get --

6 THE COURT: I'm saying, okay, let's say you are
7 powerless to change the label. No one can require you to
8 continue marketing in accord with something you now know to
9 be false. I'm not saying you did that. You see what my
10 point is?

11 MS. BIRNBAUM: Yes.

12 THE COURT: What I said, I think the analysis
13 regarding labeling and marketing are different because it's
14 not impossible for you to change your marketing. You
15 control your marketing. The FDA doesn't control -- I mean,
16 it controls what you can't say, but doesn't require what you
17 can. If you conclude it's not effective for women, you could
18 say that.

19 MS. BIRNBAUM: We couldn't change the label.

20 THE COURT: You can't change the label, but you
21 don't need to go market to women necessarily. That's why I
22 think the analysis is a little bit different.

23 MS. BIRNBAUM: Let me maybe just read to you from
24 the *Bartlett* decision because it may inform us. Slide 79.
25 This was a 2015 case in the Supreme Court. And the

1 plaintiffs there argued: "Without impacting its duties under
2 federal law in the slightest, that Pfizer could simply have
3 stopped marketing Lipitor specifically to women without prior
4 history of cardiovascular disease." That's what the
5 plaintiff argues.

6 THE COURT: In this case.

7 MS. BIRNBAUM: In this case.

8 But the Supreme Court says: "This stop-selling
9 rationale is incompatible with our preemption jurisprudence,
10 which presumes that an actor seeking to satisfy both its
11 federal and state law obligations is not required to cease
12 acting altogether in order to avoid liability." And it goes
13 on to say: "To hold otherwise would render impossibility of
14 prevention all but meaningless."

15 So I think *Bartlett* is an answer to your question.

16 THE COURT: I'm going to read that more carefully.

17 MS. BIRNBAUM: And it's 2015. There was a
18 vigorous dissent, but that's the majority on stop marketing.

19 THE COURT: Okay.

20 MS. BIRNBAUM: If we can come back to 72 just
21 quickly. Now, to make a unilateral change, this is the only
22 thing, can we make a unilateral change?

23 And by the way, you can see the plaintiffs' -- the
24 plaintiffs' regulatory expert doesn't argue that we can make
25 a CBE change. I mean, this is so moving that it's hard to

1 know where they are at in any particular time. A CBE label
2 change must be based on newly-acquired information. But
3 look how the agency defines newly-acquired information. If
4 it's a new analysis of previously submitted data, okay? This
5 is what you were talking about, Your Honor.

6 THE COURT: Correct.

7 MS. BIRNBAUM: Let's say a meta-analysis came down
8 and CTT went the other way, it said women. Can't use it in
9 women. If the -- but if you look at --

10 THE COURT: That could be such data, right?

11 MS. BIRNBAUM: Right. If you look -- no, but if
12 you look at what they say here: "If the studies, events or
13 analyses reveal risks of a different type or greater severity
14 or frequency than previously included in submissions to the
15 FDA" -- they are talking about risk. That's about -- they
16 are not arguing that diabetes would fall within this. We
17 are talking about indications. It's a very different
18 analysis. And the FDA makes that distinction in its
19 regulations. Yes, you can change -- if you find that it's
20 misleading, you can change it, but not on a reanalysis. It
21 would have to be on new material. And the reason for that
22 becomes clear when you look at some of the other cases.

23 Let's skip this one. The Court makes the
24 distinction -- somebody is on the telephone-- the Court makes
25 the distinction between what the FDA does at the time of

1 determining the indication. What material did the FDA have?
2 What material did he get?

3 Now, if after that there is new information, not --

4 THE COURT: I get it, Ms. Birnbaum. I get that
5 point.

6 MS. BIRNBAUM: Let me just go now --

7 THE COURT: I want to give Ms. Bierstein the
8 opportunity to address --

9 MS. BIRNBAUM: Do you want me to stop here?

10 THE COURT: Yeah, I want you to stop here and give
11 her a chance to do that, please.

12 MS. BIERSTEIN: Your Honor, before I address
13 preemption, I want to register our strong objection to any
14 discussion of Dr. Quon in this context for the reason that I
15 set forth yesterday, it's nowhere in Pfizer's briefs.
16 Plaintiffs have never been on notice that Dr. Quon was part
17 of this motion. It's not in the opening brief; it's not in
18 the reply brief. There is a listing specifically in the
19 open brief, here are the four experts that this brief deals
20 with. He's not on the list. We strongly object to their
21 ability to amend the motion on the second day of --

22 THE COURT: Let me say something to you about
23 formalities: I have -- I try to interpret the rules in a way
24 that produces a just result based on the best evidence. And
25 over the strenuous objections of the defense, I allowed y'all

1 to let Dr. Jewell file a very elaborate rebuttal report that
2 is broader than any rebuttal report that I've ever allowed.
3 I did that because I thought the interests of justice were
4 served by that to give y'all the full opportunity. You have
5 a big responsibility, lots of people's claims, I thought it
6 was appropriate. If I had focused on strict interpretation,
7 it wouldn't have been allowed. I tried to infuse the
8 decision with fairness.

9 I am not going to -- having taken that approach, if
10 these folks in this incredibly complicated process overlook
11 adding Dr. Quon -- I read his opinions that covered this, I
12 understood it was part of it -- you know, that technicality,
13 I'm not going to say, Gotcha, you get -- you prevailed
14 because they didn't do it. No. I'm not doing that. I'm
15 going to apply -- the same measure and standard of fairness
16 and flexibility that I have applied to y'all, I'm going to
17 apply to the defense.

18 MS. BIERSTEIN: Your Honor, we didn't have an
19 opportunity to brief it. We were not on notice. We did not
20 know that this was part of it. It's not in our brief.

21 THE COURT: That is a fair comment. If you -- when
22 you file your brief on September 29th, if y'all wish to
23 address that, I'll be glad to address that.

24 MS. BIERSTEIN: I appreciate that, Your Honor. I
25 still want to note my objection for the record to the

1 process, but we will use that opportunity.

2 THE COURT: But I welcome additional information
3 that you have on that.

4 MS. BIERSTEIN: Your Honor, with respect to
5 preemption, I do want to differentiate here. And again, I
6 have not prepared an argument on Dr. Quon, that will be in
7 the papers, but I do want to differentiate here the opinions
8 of Dr. Wells -- I'm sorry, Dr. Fleming on --

9 THE COURT: Before you get to that, I just -- I
10 wondered how you on this distinction between the label and
11 the market of whether if preemption were to apply to the
12 label, does it necessarily apply to market?

13 MS. BIERSTEIN: I guess it depends, Your Honor. I
14 was a little confused about what Your Honor meant by
15 marketing, because marketing can mean selling and marketing
16 can mean advertising. I think *Bartlett* says you don't have
17 to stop selling it. I thought Your Honor was suggesting but
18 you could stop.

19 THE COURT: Marketing. Promoting it. Seeing you
20 are a detail person, to promote.

21 MS. BIERSTEIN: You could still sell the drug. You
22 shouldn't be doing advertising that you know is false.

23 THE COURT: My point was that I think the analysis,
24 you don't have the power -- I mean, under -- their argument
25 may be good that you don't have the power to change the

1 label. You don't have unilateral right to do that. And I
2 respect that. But if you come to a conclusion based on
3 reanalysis, or whatever else, that it is not accurate, you --
4 and even though you may be powerless to change a label, you
5 send your people out to sell it on the basis that you now
6 know is no longer valid. I'm not saying that was their
7 situation. It just struck me analytically it's a different
8 situation under preemption.

9 MS. BIERSTEIN: I think it is.

10 But again, what you are saying, which I agree with,
11 is they don't have to stop selling the drug. I think that's
12 what *Bartlett* said.

13 THE COURT: Are you going to market it to women?

14 MS. BIERSTEIN: Yeah. When you do your ads, you
15 shouldn't be saying anymore something that you know isn't --
16 isn't true. And nothing --

17 THE COURT: I don't think the FDA preempts you from
18 doing that.

19 MS. BIERSTEIN: No.

20 THE COURT: Now, whether there is a lot of steps
21 before then that I -- I -- I'm not necessarily there, but I
22 just -- it just struck me analytically they were different.

23 MS. BIERSTEIN: Your Honor, I agree with that. I
24 do want to differentiate among our experts because I think
25 there is a distinction here. Dr. Fleming does give an

1 opinion about the label and about the approval. Our other
2 experts on this topic, though, do not.

3 I mean, again, they've got the quote from Dr.
4 Abramson from his deposition. But if you look at the
5 opinions he's actually offering, which if I remember
6 correctly are in paragraphs 11 to 22 of his report, you will
7 see that his opinions are that there is no evidence of
8 primary prevention. And he's not giving an opinion about
9 what the FDA should or should not have done. I understand
10 if you ask him what does he think the FDA should have done
11 he's got an opinion.

12 THE COURT: So what's Doctor -- what's the scope, as
13 you understand it, of his opinions?

14 MS. BIERSTEIN: Are we -- I wanted to talk about --
15 if we are going to do the whole Abramson --

16 THE COURT: You raised it. I'm just confused.

17 MS. BIERSTEIN: He's got a lot of different
18 opinions. He covers a very broad range.

19 THE COURT: There are parts about Abramson's
20 testimony that some of my colleagues have, other District
21 Courts having addressed about marketing and so forth, which
22 they feel like he is -- he's capable, has the expertise to
23 do. To me it's a pretty close question. I want to study it
24 more carefully.

25 But then when he starts talking about statistical

1 methodologies based upon something he did 30 years ago in a
2 fellowship, I'm wondering about that. I do -- I question --
3 you know, the -- I've seen experts and they start off and
4 they are writing their expertise, and then they will ask them
5 some question. I remember one time it was an orthopaedic
6 surgeon and he was giving heart advice. Once you declared
7 him an expert, he was going to be an expert on everything in
8 medicine and not just everything in his expertise.

9 So Dr. Abramson may be, for certain purposes, may be
10 recognized to offer an opinion, but it doesn't turn him loose
11 just to offer all kinds of evidence on things which are
12 really outside of his expertise and which he has not
13 established expertise, and statistics is one of them.
14 I don't buy that you get a little bit of fellowship -- you
15 get a fellowship and you get some statistical background and
16 then you don't apply it for your whole career and then you
17 come into my court and you are a statistical expert. I
18 don't buy that.

19 MS. BIERSTEIN: Your Honor, I don't think he's
20 claiming to be a statistical expert or that he didn't apply
21 the expertise. Dr. Abramson's expertise is he reads the
22 studies and he compares what the studies -- the published
23 papers say to what Pfizer said in their marketing. His
24 expertise in reading the published papers comes, yes, from
25 the training in his fellowship, which is about how to read

1 and evaluate studies and published papers. It also comes
2 from his practice as a physician. Because it's -- one of the
3 things practicing doctors are expected to do is to read
4 studies and understand --

5 THE COURT: I'm not going to -- his expertise, I'm
6 not going to have a backdoor into statistical methodologies
7 that may not be -- it's not a backdoor to get statistical
8 evidence in that he doesn't have the expertise to perform.
9 There are going to be things within his expertise as a doctor
10 that he might be able to testify to and I've got to sort
11 these out. Some of these are kind of theoretical about
12 how -- what might be acceptable and what might not be. He's
13 not a statistician, and it's not going to be a backdoor to
14 getting statistical evidence in that is not offered by
15 someone with expertise.

16 MS. BIERSTEIN: I agree he's not a statistician.
17 He may be able to rely on other statisticians for his
18 opinions.

19 THE COURT: I think that is the -- all of these I
20 have been kind of asking, you know -- I mean, the
21 cardiologist, who is also a statistician, is going to be a
22 rare bird out there. And I'm not going to require you to
23 find that unique animal because they are two different
24 disciplines. So if you are a cardiologist or you are a
25 family physician, or whoever relies on somebody that is a --

1 is approved as a statistician, that's just foundational
2 building to put up your case. I wouldn't require it.

3 But what happens on Dr. Abramson on that if Dr.
4 Wells doesn't survive? That's one of those questions.
5 What then happens? Because it looks like to me -- and just
6 like we got into this Dr. Jewell situation -- some of that
7 evidence is foundational, some it it isn't for y'all --

8 MS. BIERSTEIN: I think, as Ms. Birnbaum conceded
9 in Dr. Roberts, she's not relying on Dr. Wells. Dr. Abramson
10 has 11 discrete opinions.

11 THE COURT: I just need to go through them and
12 analyze them. Because I think -- I would say I'm kind of
13 leaning towards letting some of this stuff in. And I read
14 with a great deal of care the two cases. And I do respect
15 the judgment of my colleagues. I mean, it's not final, but
16 it seems to me that there may be some merit to that. But I
17 want to study it more carefully. But I need to do it -- you
18 know, it's very hard to -- issue by issue because it might
19 matter.

20 MS. BIERSTEIN: As long as -- if you are going to
21 be looking at the cases on that -- and I appreciate you
22 taking a careful look -- there were some points from their
23 reply brief on Dr. Abramson that I want to address very
24 quickly and then I do want to get back to preemption because
25 I have some important things to say on that.

1 But with regard to the other cases dealing with Dr.
2 Abramson, Pfizer suggests in its reply brief that Doctor --
3 that Judge Weinstein's opinions allowing Dr. Abramson to
4 testify were overruled in the Second Circuit.

5 THE COURT: Other grounds, I thought.

6 MS. BIERSTEIN: Completely other grounds, Your
7 Honor. I just wanted to be sure.

8 THE COURT: I knew that.

9 MS. BIERSTEIN: It had nothing to do with him.
10 And I wanted to be sure there was no confusion about that.

11 THE COURT: No confusion about that.

12 MS. BIERSTEIN: I wanted to note that there was
13 some suggestion that because the written opinions there were
14 cursory, that perhaps this wasn't a carefully thought out
15 decision. And I wanted to note that Doctor -- that Judge
16 Weinstein held evidentiary hearings and actually heard Dr.
17 Abramson testify before he reached his ruling. And to the
18 extent --

19 THE COURT: That doesn't have any weight whatsoever.

20 MS. BIERSTEIN: To the extent that -- to the extent
21 that he was making an evidentiary ruling, I just want to note
22 that he was the author of a treatise on evidence. But the
23 other --

24 THE COURT: I mean, I know.

25 MS. BIERSTEIN: The other point I wanted to make in

1 terms of the case law is there is a lot of discussion in
2 Pfizer's papers about the *Trazalon* case and the *Reslin* case
3 and neither of them are about Dr. Abramson. Those are other
4 experts and Pfizer is trying to make an analogy.

5 THE COURT: That's not -- I'm going to go to what
6 Dr. Abramson says. I'm not worried about this other stuff.
7 I am not making a judgment on any of that.

8 MS. BIERSTEIN: Great.

9 THE COURT: I wasn't impressed with any of that.

10 MS. BIERSTEIN: Just wanted to be sure.

11 Coming back to preemption. I think the place where
12 I would start in my disagreement with Ms. Birnbaum is that we
13 don't concede that changes would only be made to reflect
14 newly-acquired information.

15 Now, I think we went either way. I'm going to get
16 to why we went under newly-acquired information. But here
17 is the problem: The FDA regulation changed in 2008. The
18 words newly-acquired information didn't appear in the
19 regulation until that time. In 2004 is when the indication
20 came out on the label allowing them to have the indication
21 for primary prevention.

22 So this CBE question that we are asking, the first
23 question: At any time between 2004 and 2008 was Pfizer free
24 to make unilateral changes to the label even if it had no new
25 information? Now, there is an issue, Your Honor, about

1 whether the change in 2008 merely clarified and made explicit
2 what the existing rule had always been, which I think is what
3 I imagine is what Pfizer would contend, or whether it was a
4 new requirement. Certainly the words never appeared in the
5 regulation before then.

6 I should mention a couple of points in *Wyeth vs.*
7 *Levine*. The Supreme Court didn't reach the question of
8 whether the 2008 changes were new or a mere codification.
9 They decided they didn't have to get there. But they did
10 consider a different FDA change that was an attempt to kind
11 of rewrite things backward looking and rejected it and said,
12 Well, that's not what you were doing before and you can't
13 come in all these years later and say we always meant that.
14 So I think that provides some guidance even though it was
15 dealing with a different issue.

16 As I say, when they came to this question about
17 whether newly-acquired was retroactive or whether or not it's
18 an open question, so you know, it may be something for the
19 Court to consider. We believe that it was not required that
20 it be newly-acquired information.

21 Even if it is required to be newly-acquired
22 information, Ms. Birnbaum says yes, but that only applies to
23 information about new risks; she says not information about
24 new indications. She says that based on the definition of
25 newly acquired. But the problem is she's ignoring the actual

1 CBE regulation. The cite to that is 21, CFR, Section 314.70
2 and the subsection -- I know my eyeballs are starting to
3 spin, (C) (6) (3) (i) (d).

4 THE COURT: You know it's always bad at the end of
5 the little I's.

6 MS. BIERSTEIN: Then you know you are in trouble.

7 And this is what tells you the specific items that
8 you are allowed to make a unilateral change for. This is
9 the regulation that says, you know, Here are the changes you
10 have to get preapproval for. Here are the changes you can do
11 this way. Here are the changes you can do unilaterally. In
12 this Subsection D, you can make a unilateral change to delete
13 false, misleading or unsupported indications for use or
14 claims for effectiveness.

15 So the notion that CBE is only for risk factors and
16 increased risk I think is flatly contradicted by the CBE
17 regulation itself. And I don't think the definition can be
18 read to override that. I think if anything, the definition,
19 that might be a reason to suspect that newly acquired may not
20 actually affect this portion of it. But either way, the
21 regulation is quite clear that CBE does apply to indications
22 and claims of effectiveness. So I think -- I think that's
23 pretty clear.

24 So I think the question now, Your Honor, is assuming
25 that newly acquired was retroactive, we all agree that after

1 2008 any label changes were going to have to be newly
2 acquired.

3 Now, we don't agree -- I think with Ms. Birnbaum's
4 interpretation of that, even of the definition about the new
5 analyses, I read this to say it can include new analyses of
6 previously-submitted data.

7 The Supreme Court in *Wyeth vs. Levine* certainly
8 thought that new analyses of old data were okay. We think
9 that there are new analyses of the old data in the period
10 between -- after -- after 2008. There is the analysis of
11 the data in the Eisenberg and Wells article that we talked
12 about earlier, this 2008. I understand it's not peer
13 reviewed, but it's new information. If Pfizer was aware of
14 it, if they had wanted to change the label -- I'm not saying
15 they could have -- they should have drawn that conclusion, I
16 think they should have, but --

17 THE COURT: What was that evidence?

18 MS. BIERSTEIN: I'm sorry. That's the article that
19 Ms. Birnbaum referred to as the *Law Review* article, the
20 article by Dr. Wells and Mr. Eisenberg.

21 THE COURT: So you -- do you have any indication
22 that Pfizer was aware of the *Law Review* article?

23 MS. BIERSTEIN: I don't know whether they were or
24 not. I think for preemption or not I think it was whether
25 they were precluded. Even if Ms. Birnbaum is right about

1 what newly acquired means, the law would not have precluded
2 them from doing that. The issue isn't whether they were;
3 the issue might have been whether they should have been. In
4 the negligence claim what you need to warn about or change
5 your indication meant --

6 THE COURT: Other than the *Law Review* article,
7 anything else?

8 MS. BIERSTEIN: Yes, Your Honor.

9 There are an exchange of letters in the American
10 Heart Association Journal circulation in 2010 that also
11 provide new analyses of some of the existing data.

12 And, Your Honor, I know there has been a lot of
13 discussion of CASHMERE, and I'm not going to beat a dead
14 horse with it, but I think the whole idea it was in looking
15 at the carotid artery was it was supposed to be a marker for
16 atherosclerosis. I don't care about the artery itself, it
17 was to see if it would help prevent --

18 THE COURT: I've seen --

19 MS. BIERSTEIN: To think that CASHMERE is new data
20 that could have provided a basis for a clarification about
21 the state of the evidence regarding -- regarding the efficacy
22 for women, for primary prevention that would not have been
23 precluded, would not be impossibility for preemption.

24 Finally, we think the new risk information about
25 diabetes would support a clarification on the indication or

1 the claim of effectiveness because, as I think we've made
2 clear, we think it's the excess risk of diabetes that makes
3 it so important to make clear what the real status of the
4 evidence was.

5 THE COURT: The 2012 label change, is that what we
6 are talking about?

7 MS. BIERSTEIN: Well, the 2012 label change
8 recognizes that diabetes risk, but we think the evidence on
9 diabetes was emerging over the years between the 2004, when
10 they got this indication, and the point at which, you know,
11 depending on what the end point is, any particular plaintiff
12 who might be suing.

13 And so we think the accumulating information would
14 also be new information.

15 THE COURT: Our clock is running from basically
16 2004?

17 MS. BIERSTEIN: Anything from 2004 on would meet
18 the requirement of new information.

19 THE COURT: Our first claim, do we know when she
20 started?

21 MR. TANENBAUM: If I could? On case specifics, I
22 actually have a whole presentation on this.

23 When the information changes after the 1996 label is
24 approved, there is new information that emerges after that,
25 between 1996 and 2003 when Ms. Daniels was diagnosed with --

1 THE COURT: She was -- I'm sorry -- what year is
2 that?

3 MR. TANENBAUM: 2003.

4 MS. BIERSTEIN: Your Honor, none of this affects
5 the *Daniels* case because this whole question about whether
6 they could have changed the label, it's all after Ms.
7 Daniels' diagnosis.

8 THE COURT: Mr. Tanenbaum has a different argument
9 about that.

10 MR. TANENBAUM: Correct. And there is --

11 THE COURT: We'll get to case specific. Make sure
12 you remind me to address that issue.

13 MS. BIERSTEIN: He's going to talk about what they
14 knew between the first label and 2003. I'm focusing on what
15 they knew from 2004 on, but it doesn't affect --

16 THE COURT: I knew from prior statements by Mr.
17 Tanenbaum that he had a slightly different argument. I was
18 trying to figure out where we were.

19 MS. BIERSTEIN: It doesn't affect Ms. Daniels and
20 it doesn't affect Ms. Hempstead, either. She's also
21 diagnosed by the time this new label goes into effect.

22 THE COURT: Of course, I'm writing something for
23 this whole case and I need to address far broader than these
24 two.

25 MS. BIERSTEIN: That's right.

1 The last point I want to make on this. There are
2 two cases that Pfizer cites on preemption. The *Prohias* case
3 and the *Celexa* case. We discuss them in our brief, but I
4 wanted to make a couple of additional points. And I think
5 this relates a little bit to the discussion we had earlier
6 today. Both *Prohias* and *Celexa* are consumer fraud cases;
7 they are not failure to warn cases. In both cases the
8 plaintiff alleged that the label was false and misleading.
9 We are not alleging that the label was false and misleading;
10 we are alleging that the label provided an inadequate
11 warning, which is quite a different claim.

12 When the FDA approves the label as neither false nor
13 misleading, it's a different -- we know under *Wyeth* that the
14 fact that they have approved it doesn't mean that it's
15 adequate. That's what *Wyeth* tells us. It's the floor; it's
16 not the ceiling.

17 THE COURT: So you are not alleging it's false or
18 misleading?

19 MS. BIERSTEIN: That's correct. We are alleging
20 that the warning is inadequate and not that it's false or
21 misleading.

22 THE COURT: Isn't the regulation on preemption that
23 they -- one of the CBE things -- under the CBE is that to
24 delete false, misleading --

25 MS. BIERSTEIN: Or unsupported. We believe it was

1 unsupported; not false or misleading. The problem is it's
2 unsupported because there is no evidence for it.

3 THE COURT: Is my memory right? Isn't there a fraud
4 claim in the Master Complaint?

5 MR. TANENBAUM: There is a negligent
6 misrepresentation claim, Your Honor.

7 THE COURT: Well, I might be confused about this.

8 MS. BIERSTEIN: We've taken out the fraud claim,
9 Your Honor.

10 MR. TANENBAUM: Negligent and negligent
11 misrepresentation.

12 MS. BIERSTEIN: We've taken out the fraud claims.

13 MR. TANENBAUM: But the fraud has been taken out.

14 MS. BIERSTEIN: And the negligent misrepresentation
15 doesn't go to this statement; it goes to the issue about the
16 diabetes.

17 THE COURT: Master long form, is that amended; not
18 amended?

19 MS. BIERSTEIN: Your Honor, it's the short form.
20 It's the most recent amendment.

21 THE COURT: Short form.

22 MS. BIERSTEIN: It's in *Daniels* and *Hempstead*.
23 The long form still has those -- still has those in there.
24 But even if it's preempted for consumer fraud -- and I just
25 wanted to add one last point on this.

1 THE COURT: I believe it says -- I'm looking at
2 paragraph 10 of the Short Form Complaint: "Further, the
3 following claims and allegations are asserted by plaintiffs'
4 eighth cause of action fraud and misrepresentation."

5 MR. CHEFFO: And I also think to the extent they
6 may have had -- that's only -- I think they only amended as
7 to one specific case. I mean, the bigger issue here is they
8 still have claims.

9 THE COURT: Are we dropping fraud and
10 misrepresentation from the entire MDL?

11 MS. BIERSTEIN: We are not dropping fraud and
12 misrepresentation in the entire MDL. Even in our fraud
13 claim we are not alleging statements that efficacy were the
14 part that were false and misleading.

15 I believe what is false and misleading -- what is
16 misleading -- it's misleading rather than false, but, you
17 know, the fraud can also be from that, statements about
18 diabetes. So I think our fraud --

19 THE COURT: So the statement about and fraud and
20 misrepresentation are different. I mean, there is some
21 similarity. But I mean, a fraud is a very discreet meaning.

22 MS. BIERSTEIN: The heart of this case is a failure
23 to warn case. This is primarily a failure to warn case.

24 To the extent that there is a separate preemption
25 argument on some of these ancillary causes of action, I don't

1 think either side have briefed that separately. Your Honor
2 might want supplemental briefing -- hopefully not part of the
3 September 29th brief -- but I think neither side has
4 addressed whether there is a difference among the different
5 causes of action.

6 THE COURT: I just want everybody to put their feet
7 in the cement and let's stay in one place so I can figure
8 out -- you know, I have a colleague who does not do any oral
9 argument anymore. And her explanation for that is every time
10 she does it, the lawyers who don't seem to be winning the
11 argument change their argument in the middle of the argument
12 because the one they had made doesn't work anymore.

13 And, you know, I certainly don't take that view, but
14 I do require my counsel to fix your position and not keep
15 moving on me. And I do need help on this. If fraud and
16 misrepresentation are in, fraud is out, fine. You know,
17 there is a discreet issue about what fraud is. And if it's
18 misrepresentation, what is the misrepresentation? I just
19 need to know what the target is.

20 MS. BIERSTEIN: The last point I wanted to make on
21 the case law that doesn't go on the distinguishing the cases,
22 but back to the point about what would suffice under the CBE
23 regulation, in the *Celexa* case -- and *Celexa* is a case where
24 the plaintiff flat out refused to point to anything new
25 because the plaintiffs' theory was, No, we think the FDA got

1 it wrong. But in *Celexa*, the Court said that a new study
2 showing lack of efficacy would suffice under CBE. So the
3 notion of lack of efficacy would be sufficient --

4 THE COURT: But your experts think the FDA got it
5 wrong.

6 MS. BIERSTEIN: As to whether they personally
7 believe the FDA got it wrong, only Dr. Fleming offers that as
8 an opinion in this case. Dr. Roberts, Dr. Abramson -- and
9 as I said, I'm putting Dr. Quon in, but they --

10 THE COURT: They don't think -- I mean, they
11 disagree implicitly because they think statins are
12 ineffective.

13 MS. BIERSTEIN: No, Your Honor. They think there
14 is no --

15 THE COURT: That's not what they say. Listen, I
16 know you say that and I respect that you say that.

17 MS. BIERSTEIN: It's what they say in their
18 reports, Your Honor.

19 THE COURT: No.

20 MS. BIERSTEIN: It's what they say in their
21 reports. I think I can read that to you verbatim from the
22 report, the exact language that they use. Dr. Quon: "There
23 is no" --

24 THE COURT: I'm going to go back --

25 MS. BIERSTEIN: "There is no convincing evidence

1 that there is a clinical benefit." That's what his report
2 says.

3 THE COURT: You recognize no convincing evidence is
4 not a legal standard, convincing evidence is not a legal
5 standard?

6 MS. BIERSTEIN: The lack of convincing evidence
7 just takes you back to zero.

8 THE COURT: No convincing -- that's a very high
9 standard.

10 MS. BIERSTEIN: If we are in the courtroom and the
11 plaintiff produces no evidence and the plaintiff loses, they
12 don't lose because the Court finds the defense was right;
13 they lose because there was -- there was insufficient
14 evidence.

15 That's -- so that, to me, is the distinction. When
16 we say there is no evidence, we are like -- we are like the
17 criminal defendant who says the Government hasn't proven its
18 case. You want to say, Well, that's the same as saying I'm
19 innocent. We all know the defendant doesn't have to prove
20 he's innocent; all he has to do is say the defendant -- the
21 plaintiff -- the Government didn't meet its burden.

22 Now, I tried to show you this morning why and from a
23 legal standpoint in terms of our burden that's sufficient,
24 but in terms of the semantics of what the expert means when
25 an expert says there is -- there is no evidence, there is no

1 convincing evidence, there is not sufficient evidence for me
2 as a scientist to draw this conclusion, that is not the
3 equivalent of saying, I'm ready to go out and say it's false.
4 It's saying the Government's case is unproven, or in this
5 case, the case is unproven. It is not the same thing, Your
6 Honor. And I think it's a distinction that keeps getting
7 lost. I think it's in Pfizer's interest for it to get lost.
8 It's very important to us that the Court keep in mind --

9 THE COURT: You wouldn't mind me granting a motion
10 in limine to keep any testimony out that Lipitor is not
11 effective in women?

12 MS. BIERSTEIN: I wouldn't mind you keeping out
13 testimony that's an affirmative statement that any scientific
14 evidence shows that it's not. I would have no problem with
15 that. We do not have an expert who we are offering to say
16 there is a study that shows it is not effective. We are not
17 offering that testimony.

18 THE COURT: Regardless of studies?

19 MS. BIERSTEIN: No one is offering the opinion.

20 THE COURT: They aren't going to say that Lipitor is
21 not effective in women; is that correct?

22 MS. BIERSTEIN: As long as you are differentiating
23 that from them saying there is no evidence that it is.

24 THE COURT: Yes. Okay. Good. Thank you.

25 MS. BIRNBAUM: I heard arguments before, Your

1 Honor, and I have been in dozens of these kinds of cases,
2 where science --

3 THE COURT: They say you are well traveled.

4 MS. BIRNBAUM: I'm well traveled, Your Honor. I've
5 got a lot of years on me. But, you know, it's just -- it's
6 just shocking to think that an expert -- first of all, their
7 experts, we've showed you before, say there is no evidence.
8 That is, No, we didn't really mean that. There is
9 insufficient evidence. I've seen no difference. It is --

10 THE COURT: I'm worried about -- I want to make sure
11 that what is communicated to my jury isn't misleading.

12 MS. BIRNBAUM: It is misleading.

13 THE COURT: I don't want to have something that you
14 think they said one thing and it gives the impression of
15 saying something.

16 MS. BIRNBAUM: Their experts are going to say there
17 is no benefit here because there is no efficacy in the end of
18 the day. And when we do a risk/benefit analysis, if there
19 is nothing to support benefit, then the risks outweigh the
20 benefits. That's not the way this works. They have the
21 burden of proof. This is not a criminal case that the
22 Government has to prove their case. They have to prove
23 their case and they can't.

24 Now, I'm stunned that if there is a *Law Review*
25 article somehow that's new evidence that Pfizer has to go to

1 the FDA and change their label. It's ludicrous to make the
2 argument. It's ludicrous to respond to the argument.

3 And I have another problem that goes to they keep
4 on -- by the way, I just -- my folks who keep track of all
5 these things tell me that they have never made this
6 retroactive argument in their oppositions. I'm not making
7 an argument --

8 THE COURT: You know, I just said to Ms. Bierstein,
9 she makes an argument that has merit. The fact that she
10 doesn't make it, I'm not going to worry about it.

11 MS. BIRNBAUM: I'm not making that argument. Don't
12 worry. I do want to have an opportunity to respond to it.
13 They can make any argument they want.

14 So this is all about CBE change, right? This is
15 what they say, we could have made a CBE change because there
16 are reanalyses of old evidence or new evidence.

17 Let me see what their expert says. 75.

18 THE COURT: Dr. Fleming?

19 MS. BIRNBAUM: Their regulatory expert, right?
20 What does he say? I didn't see any report. We asked him:

21 "Question. You are providing an opinion about any
22 specific instance in which Pfizer should have used the CBE
23 process in its regulatory history, am I correct?

24 Answer. I don't have an opinion that they failed to
25 properly use the CBE process at any point in its history,

1 that is correct." Not in his report.

2 "Answer. I'm simply wanting to provide a sense of
3 the mechanisms by which a company can amend a label. And the
4 CBE, of course, is one, just one, but it is one.

5 Question. Okay. But your opinions are not about
6 Pfizer's failure in any given instance to use the CBE
7 process, is it?

8 Answer. That's correct."

9 That's their expert. That's the only regulatory
10 expert they have. He doesn't say they should use the CBE
11 process because he knows you couldn't or shouldn't or
12 wouldn't use the CBE process because there is no new
13 evidence, there is no new data, there is no reanalysis of the
14 old data. I mean, it's sort of being made out of whole
15 cloth. And they don't rely on any new information.

16 76. This is Dr. Abramson.

17 "Question. So even using the analysis that Pfizer
18 submitted and the approval, you would have essentially
19 rewritten the indication or think the FDA should have
20 rewritten the indication based on Pfizer's own analysis?

21 Answer. I think the problem could be easily fixed."

22 He wants to change the label by bringing the
23 sentence up that says the results for women were inconclusive
24 into the indication section and then plaintiffs can make
25 their own decision.

1 "Question. So you think the way the FDA has treated
2 it is wrong?

3 Answer. Yeah."

4 That can't be done. He can't testify to that.
5 Neither can anybody else. That is preempted.

6 And one last slide, Your Honor -- or two, if you
7 don't mind, and then I'm going to really sit down. I thank
8 the Court for its indulgence and patience in this.

9 Slide 78. We certainly have a different reading of
10 the *Celexa* case, that's a First Circuit case, 2015, hot off
11 the press.

12 THE COURT: I read it. I've read it.

13 MS. BIRNBAUM: Then I'm going to leave it here. We
14 think it's very much on point. We think you should follow
15 the analysis. It was a different kind -- it was a consumer
16 case, but the analysis fits exactly. And they are making
17 the same argument here. The FDA looked at all of the
18 evidence at the time of the label, 2004, time of the
19 indication. That's the FDA's job. The FDA found that the
20 label was not misleading. They found that it could be used
21 for men and women.

22 THE COURT: Ms. Birnbaum, I'm sort of -- you know, I
23 think there -- I've got to finish sort of my full analysis on
24 this, but I find the argument about preemption as to the
25 label more persuasive than I do about marketing. And I don't

1 mean quitting to sell; I'm talking about you send your detail
2 people out and talk to doctors. And if you are pushing men
3 and women -- I'm sure they are trying to sell everyone they
4 can legitimately do -- I'm just not sure that the
5 impossibility to change the label preempts their ability.
6 And part of the claim, as I read it, is that there is a claim
7 of misleading labeling and misleading marketing.

8 MS. BIRNBAUM: I don't think there is a mislabeling
9 marketing, Your Honor.

10 THE COURT: I've got to go back and read the
11 Complaint again, but it looked like to me there was a
12 complaint of that.

13 MS. BIRNBAUM: Yes.

14 MR. CHEFFO: One point. I think the one thing --
15 you might want to take a look closely at this -- remember
16 with respect to marketing of pharmaceutical products, it's
17 regulated, highly regulated. So basically, you can't say
18 anything inconsistent with the label in your marketing. So
19 it's not a situation like you are selling toasters and you
20 find an issue and you can say anything you want. So you are
21 required to only --

22 THE COURT: Let me say this: Let's just assume --
23 and I'm not there, I'm saying let's assume -- a hypothetical
24 that you -- in 2004 you believed in good faith that it was
25 effective in men and women. And then subsequent evidence

1 came out to you, the reanalysis of that data you relied on
2 came out, and you took the interpretation, I can't
3 unilaterally change the label. I would have to go back to
4 the FDA to get permission. I can't unilaterally amend my
5 label.

6 Are you saying to me that even though you had made
7 that conclusion, you could still have your detail people
8 trying to sell to women, promoting the sale to women, even
9 though you know now that through your reanalysis -- you can't
10 change the label, that's out there -- but you still are just,
11 like, involuntarily must argue sell to women because you are
12 like a robot because of the label? I don't think that can --
13 that can't possibly be the law.

14 MR. CHEFFO: What I was really trying to say is
15 it's not a situation where you have kind of a free hand to
16 change marketing.

17 THE COURT: I don't think you could add things.

18 The thing is, you know, if you -- if you had reason
19 to believe that it's not effective in women, are you then
20 obligated to keep telling them what is effective, even though
21 you don't think it is based on a reanalysis? I just think
22 that you could end up -- and I'm not saying I'm there -- but
23 you could end up arguably reaching a conclusion that the
24 labeling is one approach. Could be that the labeling dispute
25 is preempted but not marketing. I don't know yet. I'm

1 just saying that I see --

2 MR. CHEFFO: I do see.

3 And I guess what I would just say finally -- and
4 I'll turn it back to Ms. Birnbaum -- when you spin this out,
5 how would you do that? You essentially get to nonselling.
6 Because then you go in and you would only put up a poster
7 that only women shouldn't buy it. So --

8 THE COURT: That's different. I think if you had
9 to put up posters, then you are really competing with your
10 labeling. It's a question of how you market.

11 But listen, it's an area I need to think -- to spend
12 a little more time on and think through.

13 MS. BIRNBAUM: Your Honor, if Your Honor wouldn't
14 mind? Maybe that's one of the things we could brief, as
15 well.

16 THE COURT: Please don't do -- we don't have anymore
17 space.

18 MS. BIRNBAUM: Two paragraphs if we find anything
19 on it. Because I really do think it's an interesting
20 hypothetical. This is not the case here.

21 THE COURT: I do it in some ways rhetorically
22 because I'm not there yet. It just -- it just seems to me
23 conceptually different. That's all.

24 MS. BIRNBAUM: It's not the case here factually
25 either.

1 THE COURT: We are not supposed to read preemption
2 broadly. I'm just saying it's just one of those issues that
3 I need to think more deeply about.

4 MS. BIRNBAUM: Your Honor, unless you have any
5 other questions --

6 THE COURT: No.

7 MS. BIRNBAUM: -- I am going to be finished. I
8 want to thank you, Your Honor. It has been one of the most
9 interesting arguments of my entire career. Thank you so
10 much.

11 THE COURT: We went back over lunch and looked at --
12 I'm not quite sure how my -- there had been the clerk's
13 suggestion -- the clerk's office suggestion that January 20th
14 would be the jury draw date. We have changed that to
15 January 5th, and January 6th would be the first day of the
16 trial.

17 MR. CHEFFO: Two things, Your Honor. Obviously we
18 will be guided by that. It's a little different -- I know
19 that Mr. Dukes, for example, I think has a family vacation on
20 that. So if we could maybe push that to the middle of
21 January because for practicalities, being a little selfish
22 for all of us.

23 THE COURT: Would you prefer that we start on the
24 Monday after that, that would be January --

25 MR. CHEFFO: Yes, Your Honor.

1 THE COURT: We are talking vacation.

2 MR. TANENBAUM: Thank you, Your Honor.

3 THE COURT: What date would that be?

4 MR. TANENBAUM: That's the 12th.

5 THE COURT: Let me just say: I can't -- we'll
6 talk -- I think we have some control over that. And if it
7 suits better, we may just end up drawing the jury that week
8 and -- because I do think there is some benefit of me telling
9 the jurors, you know -- our only problem, of course, is we
10 have now noticed them. We've asked this --

11 MR. TANENBAUM: Sent the questionnaires out.

12 THE COURT: So be it. It's just -- I hate -- you
13 know, there are a lot of other reasons I'm not happy with
14 doing it. It's just, practicalities are practicalities.

15 You've got an airplane to catch.

16 MS. BIERSTEIN: I'm worried about my flight.

17 MR. TANENBAUM: Dave Suggs has a car.

18 THE COURT: By the way, 3:44 to 5:00 -- you have a
19 5:00 flight? My son will be leaving at 4:15.

20 MR. CHEFFO: Should we use the 15 minutes and see
21 if we can deal with Abramson?

22 MS. BIERSTEIN: No, I'm serious.

23 THE COURT: I think we've had enough. I mean, I
24 think -- believe me, I have been through this stuff and I'm
25 going to go through it more. You have all given me a lot to

1 think about and the performance of the art of the people who
2 did the argument has just really been spectacular.

3 MR. MAYER: I really think -- can I mention two
4 things on Fleming? One is that -- and we discussed this
5 before when we thought we were going to be arguing -- that we
6 are filing a motion in limine next week with regard to all
7 foreign labeling. It's broader really than our 702 motion,
8 but if it's --

9 THE COURT: I'm going to tell you right now, I'm not
10 impressed with keeping the foreign labeling issue out. I
11 want to hear what you've got to say, but I want to give you a
12 little forecast that I'm not -- you are going to have to do
13 some persuading of me on that.

14 MR. MAYER: So I would urge you to look at that
15 brief before you decide.

16 THE COURT: I will. I promise you.

17 MR. MAYER: The second is that one of the things I
18 had hoped to do in this argument on Fleming was to walk Your
19 Honor through in an organized way to help the Court as to
20 those -- there is a lot of opinions and subopinions that Dr.
21 Fleming gave. And a number of them he actually withdrew in
22 the course of his deposition. And I wanted to lay that out
23 for you.

24 THE COURT: I'm not sure I appreciate that. If
25 you've got slides or whatever, get them -- you know Mr.

1 Cheffo is going to give me the slides.

2 MR. MAYER: We will do that.

3 THE COURT: I would welcome that.

4 MR. MAYER: Ted Mayer, M A Y E R.

5 MR. TANENBAUM: In regards to the foreign labeling,
6 Your Honor, there is a code section that requires notice be
7 given to the FDA of any change required by foreign --

8 MS. BIERSTEIN: We are not going to argue that now.

9 MR. TANENBAUM: I understand that.

10 THE COURT: Ms. Bierstein, you can leave. He's not
11 going to --

12 MS. BIERSTEIN: As much as I love Charleston, I
13 don't want to spend the weekend here.

14 MR. TANENBAUM: Before His Honor makes decisions
15 about the labeling issues, which I see he's in the process of
16 doing, I think it's important to note that once the Japanese
17 required their people to change, there is a code section that
18 requires Pfizer to notify the FDA of that, which they did not
19 do. And --

20 THE COURT: And I'm sure Pfizer has an argument
21 about why.

22 MR. TANENBAUM: I'm sure they do.

23 THE COURT: And I'm willing to deal with all those
24 issues. I just think, as a matter of just a general
25 relevance concept, it just seems to me it's a potentially

1 relevant matter. But I want to hear their arguments to the
2 contrary and hear them out.

3 You know, they say that on a good day half the
4 people leave the courthouse mad at you, and then on a bad day
5 they all leave mad at you. I think I have managed to
6 accomplish that.

7 Y'all have a good weekend.

8 *****

9
10 I certify that the foregoing is a correct transcript from the
11 record of proceedings in the above-titled matter.
12
13
14

15 -----

16
17 Amy C. Diaz, RPR, CRR

October 1, 2015

18 S/ Amy Diaz
19
20
21
22
23
24
25